

3-16-1987

Proposition 65 Implementation

Senate Committee on Toxics and Public Safety Management

Senate Committee on Budget and Fiscal Review

Follow this and additional works at: http://digitalcommons.law.ggu.edu/caldocs_senate

 Part of the [Environmental Law Commons](#), and the [Legislation Commons](#)

Recommended Citation

Senate Committee on Toxics and Public Safety Management and Senate Committee on Budget and Fiscal Review, "Proposition 65 Implementation" (1987). *California Senate*. Paper 177.
http://digitalcommons.law.ggu.edu/caldocs_senate/177

This Hearing is brought to you for free and open access by the California Documents at GGU Law Digital Commons. It has been accepted for inclusion in California Senate by an authorized administrator of GGU Law Digital Commons. For more information, please contact jfischer@ggu.edu.

CALIFORNIA LEGISLATURE
SENATE COMMITTEE ON TOXICS
AND PUBLIC SAFETY MANAGEMENT
SENATOR ART TORRES, CHAIRMAN
AND
SENATE COMMITTEE ON
BUDGET AND FISCAL REVIEW
SUBCOMMITTEE NO. 3
(Health, Human Services and ALRB)
SENATOR BILL GREENE, CHAIRMAN

Interim Hearing on
**PROPOSITION 65
IMPLEMENTATION**

STATE CAPITOL
SACRAMENTO, CALIFORNIA
MARCH 16, 1987

DEPOSITORY
JUN 22 1987
RECEIVED

KFC
22
L500
T69
1987
no.1

KFC
22
L500
T69
1987
no.1

1 JOINT HEARING
2 SENATE TOXICS AND PUBLIC SAFETY MANAGEMENT COMMITTEE
3 AND
4 SENATE BUDGET AND FISCAL REVIEW COMMITTEE NO. 3

5
6 STATE OF CALIFORNIA

7
8
9 PROPOSITION 65 IMPLEMENTATION

10
11 STATE CAPITOL

12 ROOM 2040

13 SACRAMENTO, CALIFORNIA

14
15
16
17 MONDAY, MARCH 16, 1987

18 10:00 A.M.

19
20 **LAW LIBRARY**
21 **GOLDEN GATE UNIVERSITY**
22
23
24
25
26

27 Reported by:

28 Evelyn Mizak
Shorthand Reporter

87-7-25

1

2

3

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

26

27

28

APPEARANCES

MEMBERS PRESENT

SENATOR ART TORRES, Chairman, Senate Committee on Toxics and
Public Safety Management

SENATOR BILL GREENE, Chairman, Senate Budget and Fiscal Review
Subcommittee #3

SENATOR HERSCHEL ROSENTHAL

SENATOR JOHN SEYMOUR

ASSEMBLYMAN LLOYD CONNELLY

ASSEMBLYMAN TOM HAYDEN

STAFF PRESENT

BOB FREDENBURG, Chief Consultant, Senate Toxics and Public Safety

DAVID GUSTAFSON, Counsel, Senate Toxics and Public Safety

JOLYNE BUDMARK, Secretary, Senate Toxics and Public Safety

PATRICK LENZ, Chief Consultant, Senate Budget Subcommittee #3

ALSO PRESENT

DR. ALEX KELTER, Acting Deputy Director, Public Health
Department of Health Services

THOMAS WARRINER, Under-Secretary
Health and Welfare Agency

DR. STEVE BOOK, Ph.D., Executive Secretary
Scientific Advisory Panel

ROBERT TOUSIGNANT, Assistant Chief Counsel
Department of Health Services

SARAH REUSSWIG, Program Analyst
Legislative Budget Committee

CAROL BINGHAM, Principal Program Analyst
Legislative Budget Committee

I N D E X

	<u>Page</u>
1	
2	
3	1
4	1
5	
6	
7	2
8	3
9	3
10	3
11	4
12	4
13	
14	4
15	5
16	6
17	7
18	7
19	8
20	8
21	
22	9
23	9
24	10
25	11
26	12
27	13
28	

I N D E X (Continued)

	<u>Page</u>
1	
2	
3	
4	
5	
6	
7	
8	
9	
10	
11	
12	
13	
14	
15	
16	
17	
18	
19	
20	
21	
22	
23	
24	
25	
26	
27	
28	

Questions by ASSEMBLYMAN CONNELLY re:

Recommendation of Full List to Governor 14

Request for All Correspondence 14

Questions by CHAIRMAN TORRES re:

Panel's Representation 15

Conflicts of Interest 15

Income of Panel Members 16

Definition of "Reproductive Toxin" 16

Identified Reproductive Toxins 17

"Toxic Triage Priority Setting Document" 17

Ethylene Dibromide (EDB) 18

Participation in List Development 19

EDB as Potent Mutagen 19

EDB Warning by Employers 20

Reason for EDB Exclusion from List 20

Interpretation of Prop. 65 21

Questions by ASSEMBLYMAN CONNELLY re:

Minimum List 22

Questions by SENATOR ROSENTHAL re:

Legal Interpretation for List 23

Questions by CHAIRMAN TORRES re:

Lack of Legal Experts 24

TOM WARRINER, Under-Secretary
Health and Welfare Agency 25

Overview of Prop. 65 Implementation 26

Panel Protocols 27

SUD Procedures 27

I N D E X (Continued)

	<u>Page</u>
1	
2	27
3	28
4	28
5	29
6	30
7	30
8	31
9	31
10	32
11	32
12	Questions by CHAIRMAN TORRES re:
13	Definition of PY 34
14	Standard for Request for SUD 34
15	Questions by CHAIRMAN TORRES re:
16	Standards to be Used by Panel 35
17	Appeal Process on SUDs 36
18	Effect of SUDs on Regulatory Actions 37
19	Ability to Recover SUD Costs 37
20	Questions by CHAIRMAN TORRES re:
21	SUD Based on Numeric Standards 38
22	SUD Process with Pesticides 38
23	SUD as Defense 39
24	Communication with Attorney General 39
25	Questions by SENATOR GREENE re:
26	Determination to Request SUD 40
27	
28	

I N D E X (Continued)

	<u>Page</u>
1	
2	
3	
4	
5	
6	
7	
8	
9	
10	
11	
12	
13	
14	
15	
16	
17	
18	
19	
20	
21	
22	
23	
24	
25	
26	
27	
28	

Questions by CHAIRMAN TORRES re:

Effect of Not Requesting SUD 41

Third Party SUD Requests 41

Awareness of SUD Availability 42

Enforcement of Act 43

Questions by SENATOR GREENE re:

Newness of Field 45

Legal Status of Interpretive Guidelines 46

Questions by CHAIRMAN TORRES re:

Guidelines as Regulations 46

Cancer Guidelines as Regulations 46

Reason for Employee Determination 47

Definition of "Knowingly" 47

Determination of "Significant Risk" 48

Committee Receipt of Requested Documents 49

Questions by CHAIRMAN TORRES re:

DHS Recommendations for Initial List 49

Rejected Recommendations 49

Difference Between "Known" and "Suspected" 50

Identification of EDB 51

IARC Definition of Known Carcinogen 52

Advising Counsel 53

Request for Copy of Legal Opinion 53

Questions by SENATOR SEYMOUR re:

Intent of Prop. 65 53

Lengthening of Initial List 53

Reasoning for Short List 54

I N D E X (Continued)

	<u>Page</u>
1	
2	List Exclusion of Known Carcinogens 55
3	Questions by SENATOR GREENE re:
4	Substances Appearing on Several Lists 55
5	Submission of Budget Change Proposal 56
6	Questions by SENATOR ROSENTHAL re:
7	Inclusion of All Listed Carcinogens 58
8	Questions by CHAIRMAN TORRES re:
9	Panel Updates to List 59
10	Recess 60
11	DR. STEVE BOOK, Executive Secretary
12	Scientific Advisory Panel 60
13	Questions by CHAIRMAN TORRES re:
14	Reason for Disagreement on Initial List 60
15	Guidelines for Chemical Inclusion 61
16	Issue Parameters 61
17	Chemical Priorities 62
18	Standards 62
19	Materials Distributed to Panel 62
20	"Blue Book" Guidelines 63
21	Recommendation to Panel 63
22	Number of Chemicals on Initial List 64
23	Inclusion of EDB on List 64
24	Exclusion of Recommended Chemicals 64
25	Request for DR. BOOK's Memo 65
26	Questions by SENATOR GREENE re:
27	Reason for Recommendation Rejection 65
28	Legal Criteria Used 66

I N D E X (Continued)

	<u>Page</u>
1	
2	
3	
4	
5	
6	
7	
8	
9	
10	
11	
12	
13	
14	
15	
16	
17	
18	
19	
20	
21	
22	
23	
24	
25	
26	
27	
28	

BOB TOUSIGNANT, Assistant Chief Counsel
Department of Health Services

66

Questions by SENATOR GREENE re:

Legal Interpretation of Prop. 65

66

Ambiguities

67

Definition of "Potential"

68

Panel's Review of Chemical Data

69

Questions to MR. WARRINER by CHAIRMAN TORRES re:

Distinction Between Human and Animal

70

Distinction Between "Known" and "Suspected"

71

Evidence Based on Animal Data

73

Statement by SENATOR GREENE re:

Ballot Argument vs. Initiative

73

Questions by CHAIRMAN TORRES re:

Communication with Attorney General

75

Request for Attorney General Opinion

75

Questions of DR. BOOK by ASSEMBLYMAN HAYDEN re:

Scientifically Appropriate List

76

Memo Authority

77

Memo Response

77

"Ethical" Memo

78

Reference to Animals in Code

78

Questions of MR. WARRINER by ASSEMBLYMAN HAYDEN re:

"Ethical" Memo

79

Questions by CHAIRMAN TORRES re:

Attorney General Opinion on Interpretation

80

I N D E X (Continued)

	<u>Page</u>
1	
2	
3	
4	
5	
6	
7	
8	
9	
10	
11	
12	
13	
14	
15	
16	
17	
18	
19	
20	
21	
22	
23	
24	
25	
26	
27	
28	

Questions by SENATOR GREENE re:

Code Reference to Animal Data 82

Statement by ASSEMBLYMAN HAYDEN re:

Ballot Argument vs. Prop. 65 84

Questions by CHAIRMAN TORRES re:

Panel Review of DHS Guidelines 84

Questions by ASSEMBLYMAN HAYDEN re:

Recommendation of DHS Guidelines to Panel 85

Panel Policy for List Adoption 86

SARAH REUSSWIG, Program Analyst
Legislative Budget Committee

87

Analysis of Proposition 65 88

Statement by SENATOR GREENE re:

Connection Between Short List and Cal OSHA 89

Adjournment 89

Certificate of Reporter 90



P R O C E E D I N G S

--oo0oo--

1
2
3 CHAIRMAN TORRES: We are going to open the hearing. I'd
4 like to introduce Bob Fredenburg, Chief Consultant to the Toxics
5 and Public Safety Committee; to his right is the counsel to the
6 Committee, Mr. David Gustafson; and to his right is Patrick Lenz
7 who is the Chief Consultant to the Subcommittee No. 3 of the
8 Budget health and welfare area.

9 I want to thank everyone for joining us this morning,
10 and I welcome you to this Joint Hearing of the Senate Budget and
11 Fiscal Review Committee. Senator Greene will join us later as
12 will other Members of the Legislature as they arrive in the
13 Capitol.

14 We are having a Joint Committee meeting this morning
15 because the problems associated with Proposition 65
16 implementation concern both policy and budget. This morning I
17 hope we can begin to focus on both.

18 The hearing today has two parts. First, the Department
19 of Health Services will discuss their policies on the
20 identification of cancer causing chemicals. The Department
21 released a set of guidelines in November of 1985. I hope we can
22 learn what makes good science in evaluating cancer causing
23 chemicals as a result of this testimony today.

24 The second issue area is the Proposition 65
25 implementation activities of this administration. The Committees
26 are interested in the policies which the agencies are following
27 as well as the cost associated with those policies.
28

1 The Health and Welfare Agency has been designated the
2 lead agency for Proposition 65 implementation. At the beginning
3 of this month, the Agency released: the Governor's list of
4 carcinogens; an outline of an Advisory Committee; and an
5 interpretation of some portions of the Initiative. We hope to
6 hear from the Agency on all of these areas.

7 This Joint Hearing also is the first step of legislative
8 action. Working with the budget and public policy together, I
9 believe we can move Proposition 65 the fastest way possible.

10 We will be having further hearings, and our findings
11 will be reflected in both budget action and legislation. We hope
12 to work with the Administration, business interests, agriculture
13 and environmental groups to make Proposition 65 work in
14 California.

15 First of all, we need to know what we're doing and why
16 we're doing it in this State.

17 The first witness this morning is Dr. Alex Kelter of the
18 Department of Health Services. Dr. Kelter will be talking about
19 the science of the Department's cancer guidelines. I believe
20 Dr. Kizer is in Washington and cannot be with us this morning.

21 Dr. Kelter.

22 DR. KELTER: Good morning, Mr. Chairman. A pleasure to
23 be here as always.

24 What I'll do for the purposes of the Committee and to be
25 timely, we'll outline the Department's guidelines primarily as
26 regards their purpose and their structure, and then if there are
27 any questions that are more specific I'll be happy to entertain
28 them.

1 The Department began developing these guidelines about
2 five or six years ago in response to our perceived need to have
3 some regular established scientific principles for determining
4 whether or not a chemical should be regarded as a carcinogen.

5 In addition, we felt it was very important to have
6 established a set of procedures by which to assess the potency of
7 carcinogens; that is, are they a strong, powerful carcinogen or
8 are they very weak, like saccharin, and to have a reliable,
9 reproducible method for estimating this risk so as to avoid the
10 potential for regulatory agencies determining what they wanted a
11 risk assessment to look like before the science had been
12 reviewed.

13 So in effect, we accomplished that purpose by adopting
14 the guidelines in November of 1985, and they are largely based on
15 those previously published by the International Agency for
16 Research on Cancer, which is abbreviated IARC for short.

17 Risk assessment itself has four major scientific
18 activities. The first is hazard identification, and it's this
19 process that results in a list. The question being asked in that
20 hazard identification stage is: What are the hazards associated
21 with exposure to this chemical at any dose, if any? And if the
22 answer to that question is: In animal studies or in human
23 studies or in laboratory studies of various kinds, the substance
24 has been shown by some accepted scientific principles to cause
25 mutations or cancer, then the answer to that question is: Yes,
26 there is a hazard associated with this chemical and it should be
27 subjected to the following three stages in risk assessment.
28

1 The next stage is what's called dose response
2 assessment, where some mathematical expression of how strong the
3 chemical is for the causing of this effect is evaluated. And
4 there are many different ways to do so. Again, the major purpose
5 of our guidelines is to establish some baseline methods for
6 estimating risk where there are many competing models available,
7 and different experimenters and different observers may use
8 different methods. We think it's important to have, if you will,
9 a recipe which should be used unless there are other extenuating
10 circumstances.

11 So, the dose response assessment takes a substance which
12 has a hazard associated with it and tries to estimate how strong
13 an effect this would be.

14 The third step is exposure assessment. This is not
15 really part of our guidelines at the moment, and at the point
16 when the guidelines may be revised, probably the most substantive
17 revision would be the inclusion of some aspects of exposure
18 assessment.

19 If a substance causes cancer and it is very potent but
20 nobody's ever exposed to it, then it really doesn't matter. So
21 exposure assessment is critical but it's not usually something
22 the Department gets into in the risk assessment process.

23 CHAIRMAN TORRES: On that point, Dr. Kelter, in the
24 forward to the DHS Cancer Guidelines document, it states that the
25 document is not regulatory in nature but is intended to provide
26 guidelines for assessing the risks of carcinogenic substances.

27 DR. KELTER: Right.
28

1 CHAIRMAN TORRES: Does this document then represent a
2 cancer policy of the Department of Health Services?

3 DR. KELTER: No, it represents guidelines for performing
4 risk assessment for carcinogens.

5 CHAIRMAN TORRES: So then we are not dealing with
6 policy. We are dealing with guidelines?

7 DR. KELTER: We're dealing with what we called and what
8 the National Academy of Sciences has called science policy.

9 If the answers to all of our questions about the causes
10 of cancer and the effects of chemicals, if those answers were
11 known, we wouldn't need science policy. But since they're not
12 known, and there are numerous ways to interpret scientific
13 information, we have, and other agencies have, agreed that
14 written guidelines to help make the scientific judgements
15 concerning the results of animal experiments and human studies
16 were very important to the process of judging what estimates of
17 risk should be like. And that's really the purpose of the
18 guidelines -- to establish some a priori principles for the
19 interpretation of scientific experiments.

20 Cancer policy -- the words "cancer policy" have been
21 used over the years, and early on in the development of these
22 guidelines, it was anticipated that some regulatory role might
23 also be included in the document, but in our final version we
24 elected not to do that.

25 So they do not have firm regulatory significance, but
26 they do contain policy which we and the National Academy call
27 science policy, differentiated from public policy. Public
28

1 policy, obviously, would be something along the lines of: Okay,
2 so this stuff causes cancer; what are we going to do about it?

3 None of those "what are we going to do about it" kinds
4 of issues are part of the guidelines. The guidelines simply say:
5 Does this stuff create a hazard, and how should we assess that
6 hazard; how should we estimate it. Not what should we do about
7 it.

8 CHAIRMAN TORRES: Are you comfortable with that?

9 DR. KELTER: Sure. Having risk assessment guidelines is
10 a great step forward. The California Department and California
11 government were the first to adopt such guidelines as formal
12 administrative policy. Subsequently other states and federal
13 agencies have more or less done some of the same things.

14 Yes, it's a great step forward. I'm very comfortable
15 with it. And the subsequent questions of what do we do about it
16 continue to be parts of the regulatory programs of several areas
17 in DHS.

18 CHAIRMAN TORRES: So the questions will be asked what we
19 do about them?

20 DR. KELTER: Well, they always have been in the context
21 of each individual program: in toxics, the Hazardous Waste
22 Program specifically exists to deal with that question; food and
23 drug and drinking water, the same.

24 CHAIRMAN TORRES: So, will these guidelines be
25 applicable to other agencies as well, like Food and Ag, like the
26 Governor's Scientific Panel?

27
28

1 DR. KELTER: Well, the guidelines are available for
2 consideration by any agency and by the Science Advisory Panel for
3 Prop. 65, as are the guidelines of other agencies and IARC and
4 NTP and EPA.

5 CHAIRMAN TORRES: I realize that, but my question is
6 more specific than that.

7 Will these guidelines be adhered to by other agencies?

8 DR. KELTER: That's up to them. The Department's
9 guidelines are strictly those of the Department.

10 CHAIRMAN TORRES: I'd like to welcome Mr. Seymour and
11 Mr. Rosenthal as well.

12 Assemblyman Connelly, you're welcome to join us as well.

13 DR. KELTER: The fourth and final step in risk
14 assessment is what is called risk characterization, and it
15 summarizes and amalgamates, if you will, the results of the first
16 three steps.

17 So to review them, the first step is hazard
18 identification -- does it or doesn't it cause cancer in animals
19 or humans. Secondly, if it does, how potent is it. And thirdly,
20 is anyone exposed to it.

21 So the risk characterization summary step makes a
22 statement that under given exposure conditions, exposure to the
23 substance would likely cause this number of this kind of effect
24 in this kind of an exposed population.

25 I want to reemphasize the fact that a couple of issues
26 that are prominent in Prop. 65 are not part of the guidelines and
27 never have been. One of them, as I've mentioned, are the
28

1 concepts of risk management, the "what are we going to do about
2 it" kinds of questions. As the guidelines are now framed, they
3 involve science policy and not public policy. So, they don't
4 include issues like "what are we going to do about it."

5 They also do not include issues of definition of
6 significant risk. And again, the question of significant risk,
7 what is acceptable and what is not acceptable, is not a
8 scientific question. It's an issue of public policy, and the
9 guidelines do not deal with that either.

10 That's all I can say, I think, with regard to an outline
11 of the purposes and content of the guidelines. I'd be happy to
12 try and answer any other questions.

13 CHAIRMAN TORRES: In light of assertions from what we
14 consider scientifically reputable sources, such as the Federal
15 Office of Science and Technology, the International Agency for
16 Research on Cancer, and the Department of Health Services' own
17 Cancer Guidelines regarding the validity of using animal data for
18 determining human cancer, and with the Reagan Administration's
19 Office of Science and Technology which recently stated that:

20 "It is reasonable to treat an animal
21 carcinogen as if it were a human car-
22 cinogen this principle has been
23 accepted by all health and regulatory
24 agencies and is regarded widely by
25 scientists in industry and academia as
26 a justifiable and necessary inference."
27
28

1 The International Agency for Research on Cancer also makes the
2 same assertion.

3 Would you please comment on the use of the data for the
4 purposes of identifying those chemicals that should have been
5 placed on the list and perhaps were not?

6 DR. KELTER: Our guidelines by and large say exactly
7 what you've just said, that animal data is useful and acceptable
8 data for the purposes of identifying substances which may pose a
9 cancer threat to humans. And we use animal data, as stated in
10 the guidelines, to construct the dose response assessment. In
11 other words, to say how strong or weak a chemical may be in
12 causing cancer in the animal studies, and therefore perhaps in
13 humans.

14 So, our guidelines say pretty much what you just quoted
15 from the Office of Science and Technology policy.

16 CHAIRMAN TORRES: Do you believe that the science policy
17 repeated in your own Department of Health Services' guidelines
18 should be used as a basis for setting public policy?

19 DR. KELTER: It's an element of the basis of setting
20 public policy, but public policy, going beyond science policy,
21 includes the economic, political, and social considerations that
22 our guidelines do not incorporate.

23 Public policy, the making of decisions, the exercising
24 of options for what to do about it, is based on a number of
25 contributions, and our science policy in the guidelines should
26 make a contribution, I would think.
27
28

1 CHAIRMAN TORRES: Then I'm confronting a word salad
2 here, and I'm trying to figure out just where the greens, and the
3 tomatoes, and the onions are. Let's see if we can get that into
4 it specifically.

5 DR. KELTER: Okay.

6 CHAIRMAN TORRES: We have a policy in California now, as
7 articulated by our own Department of Health Services, which says
8 that it is not a public policy but a science policy.

9 DR. KELTER: Science policy.

10 CHAIRMAN TORRES: And as a result of that, since it is a
11 science policy, we are not going to be asked the questions of
12 what to do about it; we're just going to be asking the question
13 of whether it is a carcinogen or not.

14 DR. KELTER: Right.

15 CHAIRMAN TORRES: But also we are thereafter asking the
16 question or stating that the guidelines which the Reagan
17 Administration has put forward, the International Research Center
18 for Cancer has put forward, and our own Department of Health
19 Services has put forward in terms of guidelines, and that is that
20 animal contact and experience ought to determine human risk
21 factors --

22 DR. KELTER: Right.

23 CHAIRMAN TORRES: -- are not going to be utilized in the
24 determination of potential chemicals for this list?

25 DR. KELTER: Well, I wasn't addressing myself to the
26 Prop. 65 list.

27

28

1 My understanding is that the first minimum list for
2 Prop. 65 was determined by the interpretation of the Act itself.
3 And that subsequent revisions and considerations on additions or
4 deletions from the list that are to be based on science will come
5 from the recommendations of the Science Advisory Panel.

6 My understanding is, the first list was based on the Act
7 itself, not on Department's guidelines or IARC's guidelines or
8 anybody else's guidelines, but on the statute itself as passed.

9 CHAIRMAN TORRES: So you anticipate that the list may
10 increase as a result of the Scientific Panel's review of all the
11 other chemicals which may or were not included within the initial
12 list?

13 DR. KELTER: I would anticipate that it may increase,
14 yes.

15 CHAIRMAN TORRES: And it may increase as a result of
16 following Department of Health Services' guidelines, or as a
17 result of following political and economic considerations, as you
18 mentioned earlier?

19 DR. KELTER: No, my understanding is that the Panel will
20 be asked to consider the guidelines and will do so at its
21 meetings. And once it has adopted criteria which will be
22 science-based criteria, that they will then add to or delete from
23 the list based on those criteria.

24 My understanding, and again you should hear this from
25 the Agency rather than from me, but my understanding is that the
26 Science Advisory Panel is strictly that -- science advisory. Not
27 economics, feasibility, what have you. That they will simply be
28

1 asked to do the same kinds of things that DHS' guidelines were
2 asked to do: separate the carcinogens from the noncarcinogens.

3 CHAIRMAN TORRES: So the Scientific Panel which the
4 Governor has appointed will incorporate as its standards the
5 Reagan Administration's Science and Technology Assessment, the
6 International Research on Cancer, and our own Department of
7 Health Services' guidelines with respect to animal
8 experimentation?

9 DR. KELTER: I don't know if they will or not. They
10 could, but whether they will or not, I would think, is going to
11 be up to them.

12 CHAIRMAN TORRES: From your own perspective, what would
13 prompt them not to adopt those well-grounded principles that seem
14 to have a consensus in the scientific community?

15 DR. KELTER: I don't really want to speak for the Panel.
16 Some of the elements included in our guidelines and the other
17 guidelines you referenced remain controversial in some scientific
18 circles. There are some scientists who don't believe that one
19 can extrapolate from animal experiences to human. There are some
20 scientists who don't believe that the models, for example, that
21 are used in DHS guidelines are applicable. There are some
22 scientists who believe that carcinogens have thresholds. There
23 are some scientists who believe that laboratory tests, such as
24 the Ames test, are not reliable predictors of human or animal
25 experience.

26 So in some scientific circles, there is controversy
27 about these areas. The Department feels, from its own
28

1 perspective, that it has made choices in its guidelines that have
2 some semblance of consensus in the general scientific community,
3 but in other circles there are still controversies.

4 So, I wouldn't try and speak for the Panel in deciding
5 what they will do.

6 CHAIRMAN TORRES: No, but you are the spokesperson for
7 the Department.

8 DR. KELTER: For the Department, and our guidelines
9 speak for themselves. We accept animal evidence for
10 carcinogenicity in the Department's guidelines, and we use them
11 to do risk assessments.

12 CHAIRMAN TORRES: All right, and my question again is:
13 Would you recommend then to the Scientific Panel that they ought
14 to do the same?

15 DR. KELTER: I think they would be wise to consider our
16 guidelines carefully and those that are based on, including IARC
17 and NTP.

18 CHAIRMAN TORRES: Mr. Connelly.

19 ASSEMBLYMAN CONNELLY: Thank you very much. This is
20 very prestigious to let an Assembly Member sit with a Senate
21 Committee. It only happens once in a while, so I'm allocated to
22 three questions, so answer them carefully.

23 (Laughter.)

24 DR. KELTER: Okay.

25 ASSEMBLYMAN CONNELLY: In light of the Department of
26 Health Services' policy that animals are in fact good indicators
27 for human carcinogens, IARC, NTP, EPA and so forth, did
28

1 individuals in the Department of Health Services, pursuant to
2 Prop. 65, recommend to the Governor the full list of 250?

3 DR. KELTER: I believe so.

4 ASSEMBLYMAN CONNELLY: Could you tell me who they were?

5 DR. KELTER: Who who were?

6 ASSEMBLYMAN CONNELLY: Who they were. Was it you? Was
7 it three or four different people? Who did it?

8 DR. KELTER: I actually don't recall. We did correspond
9 with the Interagency Committee early, after the Proposition was
10 passed. And I don't remember who signed the documents, but the
11 Department did make its recommendations.

12 ASSEMBLYMAN CONNELLY: Just so I'm clear on this point,
13 the Department of Health Services recommended to the Governor the
14 full list of 250?

15 DR. KELTER: I believe what we said was: Were the list
16 to be based on scientific criteria alone, we would recommend the
17 scientific criteria that are in the Department's own guidelines.

18 ASSEMBLYMAN CONNELLY: Senator Torres, is it appropriate
19 to ask that that correspondence be made available to this
20 Committee and I think the Members of the Toxics Committee on the
21 Assembly side would like to see it as well.

22 CHAIRMAN TORRES: Yes.

23 ASSEMBLYMAN CONNELLY: When I asked that, you understand
24 the request is for all correspondence. It seems to me that it
25 would be helpful to see the individual recommendations to the
26 Department head, and then the Department head's recommendations
27 to the task force so that we can understand how that
28 recommendation was formed and why, in fact, it was made.

1 DR. KELTER: I'll certainly bring the request back to
2 the Department. I can't imagine why, but if there are any
3 potential problems with that, I'll certainly let you know.

4 ASSEMBLYMAN CONNELLY: Thank you, sir.

5 CHAIRMAN TORRES: Any other questions?

6 Would you consider the selection of the individuals to
7 the Scientific Panel by the Governor to represent the various
8 schools of scientific thought?

9 DR. KELTER: I'm not sure what you're asking.

10 What I would say is, the Panel certainly represents a
11 cross -- a full spectrum representation of the various fields of
12 expertise that were called for for the Panel.

13 If you're asking do the Panelists represent the parts of
14 the scientific world that may have problems with the DHS
15 guidelines, I'm not sure whether it really does or not.

16 CHAIRMAN TORRES: You're familiar with the abilities and
17 resumes of all of the members of the Scientific Panel.

18 DR. KELTER: Most of them.

19 CHAIRMAN TORRES: Would you consider all of them to be
20 free of direct and indirect economic conflicts of interest?

21 DR. KELTER: I'm not sure I'm qualified to answer that.
22 I'm not personally familiar with a lot of the Panelists. I have
23 seen summaries of their curriculum vitae.

24 My observation would be that the Panel represents a
25 balanced view of the state of the science in the areas of
26 carcinogenesis and reproductive toxicity.

27
28

1 CHAIRMAN TORRES: Were these individuals required to
2 submit a Statement of Economic Interests?

3 DR. KELTER: I don't know that.

4 CHAIRMAN TORRES: What attempts were made, or do you
5 know if any attempts were made to determine the income of members
6 of the Panel?

7 DR. KELTER: I don't know that. The Department of
8 Health Services, at least from my jurisdiction, was not
9 responsible for doing that.

10 CHAIRMAN TORRES: Who was, do you know?

11 DR. KELTER: I would think it would have been done in
12 the Health and Welfare Agency.

13 CHAIRMAN TORRES: The Health and Welfare Agency made
14 those determinations?

15 DR. KELTER: The Health and Welfare Agency being the
16 lead agency did the lion's share of the work in assembling
17 recommendations for the Panel, yes.

18 CHAIRMAN TORRES: I'm trying to get a focus on
19 definitions.

20 DR. KELTER: Okay.

21 CHAIRMAN TORRES: The term "reproductive toxin", how
22 would you define that?

23 DR. KELTER: That's a good one, and I think it's going
24 to be one of the first very important tasks that the Science
25 Advisory Panel undertakes.

26 As you know, Prop. 65 did not define the term
27 "reproductive toxicity" and left it open. And it could be rather
28

1 broad. Reproductive toxicity could include issues such as the
2 malformation of a newborn in a species or human. It could
3 include alterations in the fertility of the species, whether it's
4 because of the effect on the male or on the female. It could
5 include issues dealing with the size and state of health of
6 offspring, whether it be a litter of animals or of humans. So,
7 it could be a very broad term.

8 On the other hand, it could be interpreted more
9 narrowly, depending upon the scientific context in which the
10 definition was created.

11 CHAIRMAN TORRES: Let's talk about that.

12 Has your Department identified any reproductive toxins?

13 DR. KELTER: We are still pulling in the responses from
14 our regulatory parts of the Department as to whether they have
15 caused substances to be identified or labels as reproductive
16 toxins.

17 The three parts of the Department which might do that
18 would be the Sanitary Engineering Branch, the Food and Drug
19 Branch for the Toxics Division. Those are the three parts of DHS
20 that have the regulatory authority to make such determinations.

21 CHAIRMAN TORRES: Have you made a list of those?

22 DR. KELTER: We're in the process of doing that.

23 CHAIRMAN TORRES: And that's what this draft is about?

24 DR. KELTER: I'm not sure what draft you have.

25 CHAIRMAN TORRES: The Toxic Triage Priority Setting
26 Document.

27

28

1 DR. KELTER: No. The Toxic Triage Priority Setting
2 Document is intended for use of officials at the state or local
3 level, or anyone else, trying to put uncharacterized hazardous
4 waste sites in some priority order for characterization.
5 Appended to that was a list of chemicals which have been put
6 together and considered broadly reproductive toxicants.

7 The data for those chemicals has not been assembled,
8 reviewed, quality assured, or in any way adjudged by the
9 Department. And I believe there's a disclaimer on the list which
10 says that.

11 We do not intend for that list to be judged as the
12 Department's list of reproductive toxins.

13 CHAIRMAN TORRES: So how did one qualify to get on the
14 list? How did a chemical qualify to get on this?

15 DR. KELTER: We had no criteria. We simply took the
16 extensive lists of reproductive toxicants put together by other
17 scientists based on some or other kind of published data and
18 said: Until a further review can be conducted, one may assume
19 that these chemicals have at least some animal evidence for some
20 kind of reproductive toxicity, but we have no idea whether the
21 evidence is any good or not. For arbitrary purposes of ranking
22 hazardous waste sites, if something's on this list, consider it a
23 reproductive toxicant, but we're not putting forth the
24 Department's stamp of science that this really is a reproductive
25 toxicant. We're taking somebody else's word for it.

26 CHAIRMAN TORRES: Now ethylene dibromide, EDB, is
27 considered to be a known carcinogen. Are you in agreement with
28 that?

1 DR. KELTER: It is on our list and IARC's list of
2 substances for which there is sufficient evidence in animals that
3 it causes cancer.

4 CHAIRMAN TORRES: Why wasn't that put on the Governor's
5 list then?

6 DR. KELTER: My understanding is that the first list
7 produced under Prop. 65 was produced according to the dictates of
8 the statute, not according to scientific principles.

9 The Scientific Advisory Panel for Prop. 65 was not
10 available to review the first list, and so the first list was
11 intended to be one required by the Act, not scientifically
12 generated, is my understanding.

13 CHAIRMAN TORRES: So you at no time participated in
14 helping or recommend, put together, the list that initially
15 emanated from the Governor's Office?

16 DR. KELTER: I was involved in some discussions about
17 the list. And the Administration's issuance of that list is
18 based on its desire and interpretation of the Act that the first
19 minimum list is that required by the Act.

20 CHAIRMAN TORRES: Would you consider that EDB is a
21 potent mutagen?

22 DR. KELTER: I believe there's plenty of evidence that
23 EDB is a rather potent carcinogen and mutagen.

24 CHAIRMAN TORRES: What does that mean in your opinion?

25 DR. KELTER: It means that in experiments done on
26 animals, a very high percentage of the animals administered EDB
27 in the experiment developed tumors and they did so very soon
28 after administration.

1 CHAIRMAN TORRES: So you would consider this a
2 reproductive toxin as well?

3 DR. KELTER: I'm not as familiar with the reproductive
4 data, but I believe -- no, I don't believe. I am not familiar
5 with the reproductive data on EDB.

6 CHAIRMAN TORRES: If you were an employer and you were
7 familiar with the EPA's report on EDB, would you warn your
8 workers or potential employees to be careful around its use?

9 DR. KELTER: I would definitely, and my understanding is
10 that current federal and state law already requires such a
11 warning to be given.

12 CHAIRMAN TORRES: Yet it was not included on the list to
13 be kept out of our drinking water.

14 DR. KELTER: My understanding is the first list was
15 constructed according to the minimum requirements of the Act.

16 CHAIRMAN TORRES: And those minimum requirements
17 excluded a chemical like EDB? Why do you think that is?

18 DR. KELTER: I don't know, Senator. I didn't write the
19 Act.

20 CHAIRMAN TORRES: What standards led you to presume that
21 EDB -- and I know you weren't in on the final decision making
22 process -- but what interpretation do you think led people to
23 believe that a chemical like EDB should not have been placed on
24 the list?

25 DR. KELTER: I'm not aware of any attempt for the first
26 list to follow strict scientific guidelines. As I've stated, the
27 Scientific Advisory Panel was not available at that time;

28

1 therefore, the first list was constructed according to our
2 interpretation of the statute itself.

3 CHAIRMAN TORRES: Which is what? What is that
4 interpretation? That's what I'm having difficulty understanding.

5 DR. KELTER: Not being an attorney, I don't think I
6 could probably give the justification the support that it
7 deserves from the legal point of view. I think it would be
8 better to have someone more familiar with that legal
9 interpretation answer the question.

10 I would be able to interpret it, I think, if it were a
11 scientific judgment, but my understanding is that it was not.

12 CHAIRMAN TORRES: So a scientist looking at Proposition
13 65 could not interpret what it meant because it could not
14 interpret Proposition 65 from a scientific viewpoint?

15 DR. KELTER: The first list, the minimum requirements
16 for the first list, as I understand them, were hard to interpret.
17 And Counsel recommended and the Administration supported the
18 interpretation of the Act which resulted in the list that the
19 Governor issued.

20 As a scientist, I honestly do not understand all of the
21 intricacies of the legal interpretation that resulted in the
22 first list.

23 CHAIRMAN TORRES: But as a scientist today, you would
24 have put EDB on this first list; wouldn't you?

25 DR. KELTER: If the list were to be constructed
26 according to scientific guidelines, I think there's justification
27 for putting EDB on the list.
28

1 CHAIRMAN TORRES: Mr. Connelly.

2 ASSEMBLYMAN CONNELLY: According to scientific
3 guidelines being that it's known to cause cancer.

4 DR. KELTER: According to the Department's carcinogen
5 guidelines, which include both human and animal evidence that a
6 substance may increase the risk of cancer, yes.

7 ASSEMBLYMAN CONNELLY: This line of questioning is
8 important because the issue as to minimum only follows the
9 requirement that known carcinogenic substances be listed. After
10 that it said at a minimum it shall include, and then we get into
11 this argument about the listing.

12 So the thing that's frustrating to me, and I don't want
13 to dump it on you because it sounds like you made the
14 recommendation either individually, or at least the Department of
15 Health Services did, but there's not a question of a minimum.
16 The statute says known to cause cancer. And when you say based
17 upon the State's policy, IARC's policy, NTP and the Environmental
18 Protection Agency, EDB is known to cause cancer. It's one of the
19 250, and the Department of Health Services recommended that it be
20 listed, and it wasn't listed, I get angry. Not at you, but I get
21 angry because that's not in the language of the statute that
22 talks about a minimum list. That's in the part of the statute
23 that says known to cause cancer.

24 And you're saying that it is known to cause cancer based
25 on all the State guidelines.

26 And I think that's the line of the Senator's questions,
27 as I understand it, and you're getting a little bit of our
28

1 frustration there, but it's not a legal issue. It's just -- it
2 is a scientific determination issue with those three words.

3 DR. KELTER: I understand your point, and at the point
4 when the scientific criteria determine the list of substances,
5 those scientific criteria will come out of the Scientific
6 Advisory Panel.

7 Not to sound like a broken record, but my understanding
8 is that the first list was constructed solely on grounds based on
9 the wording of the statute, not upon scientific criteria.

10 CHAIRMAN TORRES: Senator Rosenthal.

11 SENATOR ROSENTHAL: I guess the frustration is, I guess
12 they looked at it specifically from legalese, from the legal as
13 they interpreted what the Initiative said.

14 CHAIRMAN TORRES: Well, let's see how you would
15 interpret it, Senator.

16 SENATOR ROSENTHAL: Oh, I would have put 250 or 300
17 items on there if in fact the various departments had indicated
18 that they were cancerous to animals, because I understand that if
19 it's cancerous to animals, it's cancerous to humans.

20 I mean, I haven't run across any of them that were
21 cancerous to animals and weren't cancerous to humans. So, I have
22 no problem.

23 CHAIRMAN TORRES: Well, Proposition 65, just let me read
24 it so we have a better idea of what we're dealing with.
25 Proposition 65 states that a chemical is, quote:

26 "known to the State to cause cancer or
27 reproductive toxicity within the meaning
28

1 of this chapter if in the opinion of the
2 State's experts it has been clearly
3 shown through scientifically valid testing
4 according to generally accepted principles
5 to cause cancer or reproductive toxicity."

6 Now, what does that tell you, Senator?

7 SENATOR ROSENTHAL: As I say, I would have no problem,
8 but he keeps referring to the next portion which calls for some
9 sort of a minimum list based upon known carcinogens that have
10 been known to cause cancer in humans. And some of those that
11 have been known to cause cancer in animals may not have been yet
12 sufficiently identified perhaps, except there's a relationship
13 between animals and humans since we are an animal of some form.

14 CHAIRMAN TORRES: Is the issue before us, then, that
15 there wasn't a legal body of experts by which these decisions
16 could be made? Is that the legal problem that we're faced with?

17 I know you're not a lawyer, but I'm just asking. Is
18 that what you've heard in the scuttlebutt around the Department?

19 DR. KELTER: Well, that's part of it.

20 Phrases in the part of Prop. 65 that you quoted were not
21 defined in the Proposition. "Generally accepted scientific
22 principles" in some cases are in the eyes of the beholder. We
23 will ask the Scientific Advisory Panel what they think "generally
24 accepted scientific principles" are.

25 CHAIRMAN TORRES: Any other questions of this witness?

26 Thank you.

27 DR. KELTER: A pleasure.
28

1 CHAIRMAN TORRES: Give our best to Dr. Kizer.

2 DR. KELTER: I will.

3 CHAIRMAN TORRES: Is Mr. Secretary Allenby here,
4 Clifford Allenby?

5 MR. WARRINER: No, he isn't. I'm Tom Warriner. Mr.
6 Allenby was unable to be here this morning.

7 CHAIRMAN TORRES: How do we know you're really Mr.
8 Warriner?

9 (Laughter.)

10 MR. WARRINER: Well, I have my Driver's License.

11 CHAIRMAN TORRES: Under the new immigration law, that
12 would not be sufficient.

13 (Laughter.)

14 MR. WARRINER: Actually, when I'm not doing Prop. 65,
15 I'm trying to ensure that the State gets a good share of the
16 money under the Immigration Reform Act, too. And actually a
17 driving license is one of the pieces of paper which they will be
18 allowed to --

19 CHAIRMAN TORRES: One.

20 MR. WARRINER: Yes, there's six others, of which none of
21 us have with us.

22 CHAIRMAN TORRES: That's correct.

23 MR. WARRINER: But I have my driving license.

24 CHAIRMAN TORRES: Well, welcome to the Committee, Mr.
25 Under-Secretary. I know you've been under a lot of pressure.

26 MR. WARRINER: I've lost ten pounds.
27
28

1 CHAIRMAN TORRES: Have you really? Probably from
2 drinking some of the water that you should have included some of
3 the chemicals --

4 (Laughter.)

5 MR. WARRINER: No, no. According to my wife, I'm
6 probably not drinking enough water and drinking other things.

7 CHAIRMAN TORRES: Well nevertheless, welcome to the
8 Committee.

9 MR. WARRINER: I'm pleased to be here.

10 ASSEMBLYMAN CONNELLY: Senator, I'm going to go over to
11 the Assembly. I just got a note they've started to pray over
12 there, and so I try to get there right when the prayer's going to
13 start.

14 So thank you for letting me sit in.

15 CHAIRMAN TORRES: That's right, you are marrying a
16 minister; aren't you?

17 ASSEMBLYMAN CONNELLY: That's correct.

18 (Laughter.)

19 MR. WARRINER: Shall I begin?

20 CHAIRMAN TORRES: Please.

21 MR. WARRINER: There were a lot of questions that I'm
22 sure will find their way to me after we get started, but I
23 thought I might do a quick overview in terms of what we've done
24 to this point on Prop. 65 implementation.

25 Then, if it pleases the Chair, I will go through the
26 questions that were asked in the letter, and then of course, any
27 other questions that might still be unanswered.

1 CHAIRMAN TORRES: The Members, I think, have a letter in
2 their packets.

3 MR. WARRINER: I was over the weekend planning what I
4 should say by way of the opening statement.

5 You've already mentioned the Scientific Advisory Panel
6 has been organized. The protocols under which the Panel is to
7 operate have been published, and the Panel will hold its first
8 meeting on the 31st of March.

9 The Safe Use Determination Process procedures have been
10 issued. They will also be published as a part of Title 26 of the
11 California Administrative Code.

12 The initial list of chemicals, which you've already
13 discussed, and the candidate list of chemicals have also been
14 published.

15 We're in the process of preparing a BCP that'll be done
16 on a composite basis. That is, to reflect the staffing changes
17 in all the effected departments. That process will be done in
18 the next several weeks, reviewed by the Department of Finance,
19 the Governor's Office, and then be sent here in the form of a
20 finance letter.

21 Since there are staffing needs which are immediately
22 relevant, such as caring for the Scientific Advisory Panel, we
23 are administratively establishing positions in the Health and
24 Welfare Agency to care for the Scientific Advisory Panel itself,
25 and those positions will be in effect, hopefully, the first of
26 April.

27
28

1 We're also beginning to confront the issue of warnings.
2 One of the most, I think, significant parts of Proposition 65 is
3 the provision for warnings which have to be given to people who
4 are exposed to chemicals listed.

5 We've put together a group of people that includes
6 government specialists, consumer specialists, and producers and
7 the sellers of products in hopes of coming up with some good
8 ideas that will give the consumer a good warning and also not
9 burden more than is necessary to provide that warning the
10 delivery system for goods and services in California.

11 If the Chair pleases, I could start to answer the
12 questions.

13 CHAIRMAN TORRES: Please.

14 Mr. Olsen, would you please come forward. We didn't
15 mean to exclude the minority consultant to the Budget Committee.

16 MR. WARRINER: This is on the letter dated March 11,
17 1987 to Secretary Allenby; Safe Drinking Water and Toxic
18 Enforcement Act Scientific Advisory Panel:

19 "What selection criteria were used in
20 selecting members of the Scientific
21 Advisory Panel?"

22 What we did, as you know, the Governor by Executive
23 Order established a cabinet level working group, and involved
24 Health Services and other department and agencies effected by
25 Proposition 65.

26 We reviewed the Proposition and determined those areas,
27 those disciplines that were important to have on the Panel for
28 purposes of reviewing the chemicals for inclusion on the Panel.

1 When we identified the six disciplines that seemed to be
2 relevant, we determined that we should have at least two of each.
3 In some areas, reproductive toxicity, that was particularly
4 important because there are male and female specialists from
5 within the different disciplines.

6 We then had each of the departments or agencies named in
7 the cabinet level working group nominate people for inclusion on
8 the Scientific Advisory Panel: two for each discipline, plus two
9 alternates. Those then were reviewed by all the other
10 participants in the work group and ranked. And it's from that
11 list that the Governor selected the Panel that now is acting or
12 will soon be acting on the 31st.

13 "Were appointees required to report on
14 current or past sources of direct or
15 indirect income prior to selection by
16 the Governor of the members?"

17 What we did was, we put together a protocol that
18 described how the Panel was going to operate. And a part of that
19 protocol requires a full disclosure of all outside income. Since
20 many of these people were academics, we also required disclosure
21 of sources of funding that would go to the university and might
22 in some way be to their benefit.

23 Now, I personally talked with each of the twelve people
24 who were selected by the Panel, ten in person and two on the
25 telephone, and provided them with written material dealing with
26 the Panel's operation. And I discussed with them at that time
27 the need for a full disclosure of all their assets and dealt also
28

1 with the funding requirement, and told them that they would be
2 required to fill out a complete Conflict of Interest statement,
3 and that that statement would have to be available at or before
4 the first meeting of the Panel.

5 All of them agreed, of course, to do that. All of them
6 under questioning felt there would be no conflict situation.
7 They've all been provided with the Conflict statement, the same
8 one that all of us fill out, which has been adjusted since it has
9 the academic components if the money goes to the university, and
10 then they don't necessarily get salary because of it, but it
11 could effect their success in the academic community if they were
12 people who brought in a lot of research money that would be to
13 their benefit. So we wanted to identify that.

14 The statements are not in yet, but they will be in, and
15 they will be made public as soon as we have them.

16 "Will the panel apply a standard for
17 the definition of 'known carcinogens
18 and teratogens' different than that used
19 by the Governor in establishing the list
20 of 'chemicals known to cause cancer or
21 reproductive toxicity'?"

22 My belief is that they will.

23 "What legal authority will the advisory
24 committee operate under?"

25 They operate under the authority granted them by the
26 Proposition itself which talks about a panel of scientific
27 experts and seems to provide sufficient authority for that body
28 to be housed in the Health and Welfare Agency as the lead agency.

1 "How does the Administration plan to
2 fund the activities of the Advisory
3 Panel? If a budget change proposal will
4 be made, what is the anticipated date
5 of that request?"

6 They will be funded and a budget change proposal will be
7 made, and it should be here in the next several weeks. That'll
8 be in the form of a finance letter which will take into
9 consideration not only the support for the Science Advisory Panel
10 but the other staff that'll be necessary to implement Proposition
11 65.

12 Item Number Two:

13 "Governor's List of Chemicals Known to
14 Cause Cancer or Reproductive Toxicity.

15 "What scientific standard did the
16 Agency or the Governor apply when issuing
17 the list of 29 carcinogens and repro-
18 ductive toxicants."

19 That is the issue on which there are already some
20 questions. Again, for purposes of the initial list, it was
21 treated as a legal question to be determined based upon the
22 Initiative itself, the language that talks about at a minimum,
23 and also by reference to the arguments contained in the ballot
24 proposition and the information contained in the IARC and NTP
25 lists.

26 That exercise for the initial or primary list was
27 completed when that list was exercised. From here on in, it'll
28

1 be up to the Panel itself, the Scientific Advisory Panel, to
2 adopt criteria and add chemicals to the list.

3 CHAIRMAN TORRES: Welcome to the Chairman of the
4 Subcommittee #3 of the Budget Committee, Senator Greene.

5 MR. WARRINER: Good morning, Senator.

6 "What is the Administration's legal
7 interpretation of the meaning of
8 Health and Safety Code Section
9 25249.8(a), '... Such list shall include
10 at a minimum those substances identified
11 by reference in Labor Code Section
12 6382(b)(1) and those substances identified
13 additionally by reference in Labor Code
14 Section 6382(d)'?"

15 That is the question which I responded to under Point
16 2(a). That's the legal issue that's involved in a law suit and
17 questions whether there is created a patent or latent ambiguity
18 by those references that requires resort to the ballot
19 Proposition argument itself and other interpretative guides such
20 as the wordings of the IARC and NTP lists themselves.

21 "3. Guidelines and Safe Use Deter-
22 mination Procedures.

23 "Briefly describe the Health and
24 Welfare Agency's proposed procedure for
25 issuing interpretive guidelines and
26 safe use determinations"

27

28

1 So-called SUDs.

2 Published in the Notice Registry and will be a part of
3 Title 26 of the California Administrative Code is the safety
4 determination process.

5 Basically it was the feeling that a danger posed by
6 Proposition 65 was if people became anxious and concerned, that
7 they had questions that they needed to have answers to, and if
8 there wasn't a good way to answer those questions, that people
9 would make decisions not based on what the law requires but based
10 on some emotional reaction or fear anxiety. The campaign
11 surrounding Proposition 65 was one that attracted a great deal of
12 attention, and I think there were things possibly said in that
13 campaign which might frighten people and force them to make
14 decisions regarding plant location and whatnot which are not
15 warranted under Prop. 65.

16 So the safe use determination process was intended to be
17 a way to avoid any pernicious effect the Proposition would have
18 by uncertainty and confusion in that it creates a process by
19 which questions can be asked by people effected by the
20 Proposition and by which they can receive, hopefully, prompt and
21 helpful answers. It does have a PY implication and is part of
22 the BCP put together to answer those needs because there will be
23 staff requirements connected with the safe use determination
24 process.

25 "What will be the standard upon which
26 the Agency will make a determination --"

27
28

1 CHAIRMAN TORRES: By "PY", you mean personnel years;
2 right?

3 MR. WARRINER: Yes, sir.

4 CHAIRMAN TORRES: Because there's some people who don't
5 understand what PY means.

6 MR. WARRINER: I didn't either until I got this job.

7 (Laughter.)

8 MR. WARRINER: But I'm learning very quickly, PYs are
9 personnel years, yes.

10 I thought "people" before, but --

11 CHAIRMAN TORRES: I saw some of the correspondence in
12 absolute disarray; they did not know what "PY" was. I just
13 wanted to make sure they're aware of that.

14 MR. WARRINER: I'm pleased that there are still some
15 people who use words instead of acronyms. My wife says I've lost
16 the ability to talk in whole sentences or in other than initials.

17 (Laughter.)

18 MR. WARRINER: I thought your comment about the salad
19 was sort of the way I feel sometimes when looking at these
20 things.

21 SENATOR GREENE: In the legislative process, use the
22 words.

23 MR. WARRINER: I'll do my best. It's being bred out of
24 me by this assignment, though.

25 "What will be the standard upon which
26 the Agency will make a determination on
27 a request for either an interpretive
28 guideline or a SUD?"

1 The standard will basically -- if the SUD asks a
2 question as to whether a particular use of the chemical is within
3 or without 65 -- that is, is it a significant level of the
4 chemical or not -- that's a scientific question and we would
5 apply basic scientific principles by whatever operating agency
6 had the most relevant experience in the area.

7 There's not a standard of evidence such as you might
8 have in a civil trial.

9 CHAIRMAN TORRES: Are you familiar, Tom, with the
10 standards that I articulated earlier to Dr. Kelter?

11 MR. WARRINER: Yes, sir.

12 CHAIRMAN TORRES: Are those the standards, those three
13 types of standards? Will that be the standards that'll be used
14 by the Scientific Panel?

15 MR. WARRINER: I should correct something that your
16 question suggests maybe in your mind.

17 The SUD process does not involve the Scientific Advisory
18 Panel. The SUD process involves a regulatory agency.

19 CHAIRMAN TORRES: Which is you.

20 MR. WARRINER: Which is the Health and Welfare Agency as
21 the lead agency, and all the departments -- the Water Board if
22 the SUD had to do with discharges into water; the Health
23 Department if it had to do with areas of their traditional
24 control.

25 So, that is a separate process, and the Panel itself
26 would be concerned with adopting either the EPA standards, the
27 Health Services standard, the IARC standard, the NTP standard, or
28 some synthesis of all of those.

1 We're going to supply each of those to the Panel in
2 anticipation of the March 31 meeting, hoping that they will at
3 that time adopt one or more or all of those if that's
4 appropriate, so that they will have the procedures to operate
5 immediately.

6 "What due process provisions are
7 provided to allow for an appeal to a
8 finding on a SUD or an interpretative
9 guideline?"

10 None. A SUD is an advisory opinion only. It's like an
11 Attorney General's opinion. If you request one and it comes out
12 the way you don't want it, then that's too bad. It doesn't have
13 any regulatory effect in and of itself.

14 If the State determines the way you're going to use that
15 chemical will violate Proposition 65, and you go ahead and use
16 the chemical that way, then there's a piece of evidence out there
17 that's going to hurt your case. The SUDs are public documents
18 available to everyone.

19 If you ask and you find that the advice of the
20 regulatory agency is that your use is okay, then you would still
21 have to face the fact that a district attorney, or a private
22 litigant, or the Attorney General might disagree with that
23 determination.

24 All you're getting from a SUD is the view of hopefully a
25 responsible agency who has experience in the area. You're not
26 getting a "Get Out of Jail Free" card. We don't have the
27 capacity. That's not part of what's given to the lead agency
28 under Proposition 65.

1 "What effect will SUDs or interpretive
2 guidelines have on regulatory action by
3 departments or agencies other than the
4 Health and Welfare Agency?"

5 I answered that question.

6 "Given the potential complexity of
7 determining whether a significant
8 risk is present for each request of
9 a SUD, will a \$500 fee allow the Agency
10 to recover its cost?"

11 The answer to that is two-fold. One, it's a \$500 filing
12 fee plus any cost in excess of \$500 to the State incurred in
13 responding to the SUD. There's also provision in the procedures
14 to waive the fee.

15 My concern was that people like Chevron Oil Company
16 don't really need the State. Chevron has lots of scientists and
17 toxicologists who can make their individual decisions, and
18 Chevron can decide what to do.

19 I'm not picking on Chevron. I'm using them as an
20 example.

21 But there may be lots of people who employ 13 employees
22 who have a question about the Proposition, and there needs to be
23 a way to respond to them. And if their response requires a great
24 deal of expense, then we need to have provisions to meet the
25 needs of small business people as well, since in a sense the SUD
26 process might help to even up the playing field in terms of
27 available scientific expertise.
28

1 CHAIRMAN TORRES: Before we go on to Four, will the SUD
2 be issued based on numeric standards of what constitutes a
3 significant risk?

4 MR. WARRINER: That would depend. If there is a numeric
5 standard available, of course for a lot of the chemicals the
6 Health Department has already established a numeric standard,
7 then it would be a question of extrapolating that standard to the
8 particular use of the chemical.

9 In some instances for some chemicals, the SUD may be the
10 first time that the issue of the use of that chemical might be
11 confronted.

12 Many of the chemicals on the initial list and also on
13 the candidate list are medical drugs and whatnot for which
14 discharge, numerical discharge standards, are not now available.

15 CHAIRMAN TORRES: How do you anticipate the SUD process
16 to work in respect to pesticides?

17 MR. WARRINER: Well, I think that the initial question
18 would probably go to Food and Agriculture for their review. Food
19 and Agriculture is traditionally involved in licensing
20 pesticides.

21 However, since they have risk-benefit, risk analysis,
22 and various other provisions that are now done by the Health
23 Department, the Health Department will be involved in the
24 process. That's existing law.

25 What we'd like to do is tie the two together. We think
26 there needs to be a central focus, because you can't have Food
27 and Ag saying one thing, some other State agency saying another
28

1 thing. We need to have at least a consistent standard when it
2 comes to particular chemicals, and we hope the SUD process would
3 allow for that development.

4 CHAIRMAN TORRES: Did I hear you correctly earlier to
5 say that the SUDs could be used as a defense in terms of a
6 discharge against penalties contained in Proposition 65?

7 MR. WARRINER: It would seem to me that if a company had
8 applied and received a SUD that determined that their particular
9 use of a listed chemical was not a significant, was not
10 significant for purposes for Prop. 65, then that would be a piece
11 of evidence which could be offered.

12 Likewise, if the application for a SUD turned out that
13 the use was in Prop. 65, was a significant, then that would be
14 evidence which a district attorney could use.

15 We're neutral. I mean, we issue them like an Attorney
16 General's opinion, based upon our judgement, scientific judgement
17 as to what the correct answer is.

18 CHAIRMAN TORRES: But you're in communication with the
19 Attorney General's Office with respect to a number of those
20 procedural issues?

21 MR. WARRINER: They are aware of the SUD process. I
22 have not heard anything from them contrary on that.

23 CHAIRMAN TORRES: I see. Do you plan to communicate
24 with them in terms of procedural guidelines from their
25 perspective?

26 MR. WARRINER: I would expect that we will hear from the
27 District Attorneys' Association and from the Attorney General and
28

1 other regulatory bodies, because we will be creating scientific
2 determinations which will have significance in a regulatory
3 context. That's true.

4 CHAIRMAN TORRES: All right.

5 Senator Greene.

6 SENATOR GREENE: How would you anticipate that a company
7 would be able to determine that a substance did not come under
8 the Proposition?

9 MR. WARRINER: That would be a scientific process.
10 Initially the chemical --

11 SENATOR GREENE: Yes, but the firm, the company, would
12 be able to make that determination, or would that be one that the
13 State would have to step in and make?

14 MR. WARRINER: I'll have to answer the question two
15 ways. One, of course, there's no obligation on anyone to apply
16 for a SUD.

17 If you manufacture and use a chemical, and you talked to
18 your own chemist and your own scientists, and you're sure that
19 the way you're going to use that chemical will not produce a
20 significant amount of that chemical, then you don't have to ask
21 for anything. You make your own decision and act.

22 If you're not sure, or if you're a small company and
23 don't have scientists, or for whatever reason you want the
24 State's opinion, then you would apply for it, and the scientists
25 in whatever State agency had most expertise will review how you
26 are going to use that chemical, try to make a decision on the
27 scientific principles as to whether the way you're going to use
28

1 that chemical is going to release a significant amount of that
2 chemical or not and bring you within 65.

3 SENATOR GREENE: So in that instance, then, firms would
4 be operating as they do now?

5 MR. WARRINER: Oh, yes. They're not obligated. You can
6 go ahead and take your chance. We're only trying to offer
7 services to people who might want to use them.

8 CHAIRMAN TORRES: Yes, I understand that, but that
9 raises a very interesting question by Senator Greene's remark,
10 and that is that if a specific company requested or did not seek
11 to request a SUD, they could go on discharging certain elements
12 without any review by the Agency.

13 MR. WARRINER: No, that's not true.

14 A SUD is a way for people to know in advance what the
15 science of the particular use would be; what would be the
16 outcome.

17 CHAIRMAN TORRES: Right. If their scientific experts do
18 not want you to know what the scientific outcome is, how then
19 would you proceed to do so?

20 MR. WARRINER: You would be -- they would be involved in
21 the existing regulatory function. If they were discharging into
22 the drinking water system, monitoring currently goes on. The
23 monitoring would continue to go on as to chemicals listed in
24 Prop. 65, so the Water Boards would be monitoring and would know
25 if the people were improperly discharging.

26 CHAIRMAN TORRES: Is it your interpretation, then, that
27 if that discharging is occurring, and if a company does not
28 request a specific SUD, that a third party could do so?

1 MR. WARRINER: The SUD process allows for people who are
2 effected to apply for it. Sure, you could be a neighbor, you
3 could be somebody who would be effected by the use of the
4 chemical.

5 CHAIRMAN TORRES: So you're arguing that standing will
6 be the criteria in determining the accessibility to SUD.

7 MR. WARRINER: I think you would have to be effected,
8 but you could be effected as a consumer could be, as all of us
9 could be effected by a discharge of a chemical. So it's not
10 limited to the users of the chemical.

11 CHAIRMAN TORRES: So you're saying that under this
12 interpretation, a corporation or a company would not be able to
13 maneuver out of the applicability of the law by not requesting a
14 SUD. The mere discharge of their particular products by the
15 monitoring by the State would take that into account?

16 MR. WARRINER: Oh, yes, sir.

17 And also the district attorney or prosecutors, anyone
18 could ask for determinations.

19 CHAIRMAN TORRES: I understand they could ask for it.
20 My concern is will they be aware of it?

21 MR. WARRINER: Yes, I hope so.

22 (Laughter.)

23 CHAIRMAN TORRES: You hope so. That's a large
24 presumption.

25 MR. WARRINER: Our intention is, through the SUD
26 process, is to provide public notice when a request for a SUD is
27 made. That will be published.
28

1 CHAIRMAN TORRES: I understand that, but if the company
2 doesn't request a SUD, then there is no record of a public notice
3 because no request has been made.

4 MR. WARRINER: That's right, and that industry would be
5 at its own peril if the discharger failed to warn.

6 CHAIRMAN TORRES: Well, they may decide that their
7 discharge is much more important to them than the peril of the
8 penalty under Proposition 65.

9 MR. WARRINER: That's a risk that exists.

10 CHAIRMAN TORRES: We've had that in the past in terms of
11 discharges in other parts where certain companies feel that a
12 \$5,000 fine is worth the risk rather than dealing with the whole
13 other issue of cleaning it up.

14 MR. WARRINER: What we had tried to do through the SUD
15 process is not -- we can't grant exemptions under 65.
16 Proposition 65 exists and is binding on everyone who uses
17 chemicals that are listed. That's nothing we do.

18 All we did was try to make available to people who might
19 be effected by the use of the chemical an opportunity to find out
20 what the State's view of the science is.

21 So, we're neutral. I mean, it doesn't matter who asks;
22 we issue what we believe to be the correct answer under the facts
23 of that particular chemical.

24 CHAIRMAN TORRES: I understand your neutrality in terms
25 of the procedural aspects of this Act, but you are not neutral
26 when it comes to the advocacy and the enforcement of this Act.

1 MR. WARRINER: No, in fact, this does not effect our
2 ability to enforce the Act. Hopefully it will help that, because
3 we'll be making available the science.

4 CHAIRMAN TORRES: I understand that, but all I'm
5 suggesting is be aware as the process continues that that may be
6 a problem.

7 MR. WARRINER: Right. Well, we don't want to turn it
8 into somebody who only helps people avoid it. I mean, the idea
9 would be that we would help people avoid uncertainty and decide
10 not to locate a plant or something because they don't know what
11 Prop. 65 is.

12 We think Prop. 65 can be understood and is something
13 that people will understand and will deal with.

14 CHAIRMAN TORRES: I'm not impugning anything upon you at
15 this point.

16 MR. WARRINER: Later.

17 CHAIRMAN TORRES: As far as I'm concerned, the slate is
18 clean. What happens thereafter, as long as we're aware of what
19 the problem areas are that may or may not be out there, let's be
20 aware of them at the outset.

21 MR. WARRINER: Right.

22 SENATOR GREENE: On this point, I can foresee a
23 situation, and I believe many people when they say that they are
24 not given the complete data or correct data, I can very well
25 foresee. And I can very well accept the idea that a corporation
26 has technical people to give them that identification of the
27 substance that they're using, or whatever, and the people will
28

1 just give them a short, you know, quickie job. They don't do a
2 good job.

3 They give it to the firm. The firm paid them under a
4 contractual basis in good conscience and what have you, and the
5 people who did the work gave them a half job, or maybe they did
6 not check their data enough. I can very well see that happening.
7 The people are not doing it intentionally or anything.

8 But then they get caught in the process. And everybody
9 that does it maybe aren't necessarily doing it intentionally.
10 They're getting bad technical information. If they do not have
11 in-house technical expertise, they go outside to get it.

12 You know, it's a brand-new field. Very few people
13 really know it. And it's very easy to give people a half job,
14 three-quarters of a job.

15 MR. WARRINER: Senator, that was one of our concerns
16 about the SUD process.

17 We do have scientists that do decent work. I mean, the
18 Health Department, as you learned earlier today, is I think on
19 the leading edge in a lot of these areas. So we want to make
20 good use of those people.

21 We don't want people to make silly decisions based upon
22 a misapprehension of the facts. And there is some anxiety that
23 this is a new area, and that maybe there isn't out there all the
24 resources, private resources, that the State would provide a
25 useful service in doing this.

26 It is hoped to be a useful service.

27 Moving on:
28

1 "What is the legal status of the
2 interpretive guidelines as issued
3 by the Health and Welfare Agency?"

4 They are legal interpretations by the lead agency. Our
5 intention is to issue them eventually as regulations along with
6 the SUD process and the procedures under which the Panel itself
7 operates.

8 CHAIRMAN TORRES: So they will be issued as regulations?

9 MR. WARRINER: Right. They'll be proceeded under --
10 they'll proceed under the Administrative Procedure Act. The Act
11 does not apply to the chemical list, but it does -- you know, we
12 would imagine it applies to all the rest of the provisions of
13 processes that are adopted by the lead agency.

14 CHAIRMAN TORRES: Will the cancer guidelines adopted by
15 the Scientific Panel also be issued as regulations applicable to
16 all other agencies?

17 MR. WARRINER: That was not our current intention. I'm
18 not sure that they have to be.

19 Again, the chemicals themselves are outside the
20 Administrative Procedure Act. You could argue that the
21 procedures under which the Panel operates to identify the
22 chemicals wouldn't have to be issued as an administrative
23 procedure regulation. That's not clear.

24 I think the Panel has authority to adopt its own
25 procedures under which it can operate. They're going to have to
26 decide that on the 31st.

27 CHAIRMAN TORRES: When they organize?
28

1 MR. WARRINER: Yes.

2 "Upon what basis did the Health and
3 Welfare Agency make the determination
4 to define employees to include both
5 full and part time employees?"

6 I think I'm responsible for having made that decision.
7 And it may not make me particularly popular with some people who
8 have a lot of part-timers, but our feeling was there is no time
9 reference in the Initiative itself. So, we figured that what was
10 intended was that we look at the day when the discharge took
11 place, and if you've got the right number of employees on that
12 day, then that's the number of employees that you have for
13 purposes of that application.

14 Otherwise, if you average the number of employees over a
15 year or two years, you can't draw that language out of the
16 Initiative. So we picked an interpretation that says count noses
17 on the day you have the discharge, and if you come up with the
18 right number, you're within the provisions of Prop. 65, even
19 though other days during the year you might not be.

20 We felt that that was concerned with exposure to people,
21 and if you were exposing that number of people on that day, then
22 you should be effected by the Proposition.

23 "Please clarify your Agency's
24 interpretation of the definition
25 of 'knowingly'."

26 There is an Attorney General's opinion, a preliminary
27 one, which is going to be issued as a permanent opinion.
28

1 CHAIRMAN TORRES: When will that be issued, Tom?

2 MR. WARRINER: We have the preliminary form. I can
3 supply that with you.

4 CHAIRMAN TORRES: We'd appreciate that.

5 MR. WARRINER: I expect to see the final version in the
6 next several weeks.

7 But "knowingly", we adopted there basically a criminal
8 statute interpretation of "knowingly", and that seemed to be
9 because of the type of penalties associated with the correct
10 interpretation.

11 And I don't believe the Attorney General will be
12 changing from the preliminary one, but we won't know that until
13 they finally issue it.

14 "Does your Agency's determination of
15 significant risk require a finding by
16 the Health and Welfare Agency based on
17 an 'evaluation of scientific risk
18 assessment of a chemical's inherent
19 toxicity and potential human exposure'.
20 How will that determination be made?"

21 It'll be made by the scientists based upon a scientific
22 evaluation of the dangers the particular chemical poses on the
23 list.

24 We'll have to adopt a standard. There's a standard
25 already built into the Initiative about the reproductive toxins:
26 a thousand times the anticipated exposure with zero effect.
27
28

1 If you look at the typical mid-range on the cancer side
2 would be one additional cancer based upon a million exposures,
3 but I think we'd like to ask the Scientific Advisory Panel's
4 recommendation on that. The midline is what Health Services has
5 typically been using.

6 "In addition to your testimony, the
7 Committees would appreciate receiving
8 the following documents and material."

9 I've already provided copies of the correspondence from
10 the Agency and the Health Department regarding the Panel.

11 The financial disclosure forms, they'll be submitted as
12 soon as we receive them. It should be within the next two weeks.

13 And so far, no one's asked for an interpretation or a
14 SUD, but I think business will pick up. I have every reason to
15 believe it will pick up.

16 CHAIRMAN TORRES: Did the Department of Health Services
17 make any recommendations to the initial list?

18 MR. WARRINER: Yes, they did.

19 CHAIRMAN TORRES: Were those recommendations accepted or
20 rejected?

21 MR. WARRINER: They were both.

22 CHAIRMAN TORRES: Which ones were rejected?

23 MR. WARRINER: They recommended -- I think you could
24 call it a recommendation -- that on strict scientific grounds,
25 the initial list should be the initial list we published, plus
26 the candidate list.

27
28

1 The reason for not doing that was what I discussed
2 earlier, and that was the line between known human carcinogens
3 and suspect carcinogens, which is a line that IARC and NTP take.

4 They also -- the recommendation from Health Services --

5 CHAIRMAN TORRES: Who takes? Would you define that so
6 people know?

7 MR. WARRINER: Yes, the World Health Organization and
8 the National Toxics Program, which is part of the United States
9 Public Health Service, divide their lists up into categories.

10 There's a category, in the case of IARC, the World
11 Health Organization, Categories 1, 2, and 3. Category 1 is known
12 human carcinogens. Category 2 is suspected, and Category 3 are
13 chemicals for which additional information is required.

14 The NTP, the National Toxics Program, which is part of
15 the United States Public Health Service, basically divides up
16 into two groups: again, the first group being the known, and the
17 second group being the suspected human carcinogens.

18 There is some difference between the two lists in terms
19 of chemicals, one having some different chemicals than the other.
20 But those two chemical lists are the output of the procedures
21 that each of those organization has in place.

22 That goes back to your earlier question the Senator had
23 about adopting a policy.

24 The other point in the Health Department recommendation
25 was that the Health Department recommendation included a concern
26 that the public be made aware as soon as possible of chemicals we
27 were concerned about. So, that was the other reason for
28

1 identifying the candidate list in the same way that we identified
2 the initials. Both lists are published.

3 CHAIRMAN TORRES: When you say "known" and "suspect" --

4 MR. WARRINER: Correct.

5 CHAIRMAN TORRES: -- where do you place EDB? As a
6 suspect chemical then?

7 MR. WARRINER: For these purposes, it would be a suspect
8 chemical.

9 CHAIRMAN TORRES: Even though it is known to cause
10 cancer.

11 MR. WARRINER: One of the difficulties is, when you look
12 at the IARC and NTP lists, they use "known" as chemicals for
13 which there is human study information. The "suspect" chemicals
14 for them are chemicals where there is limited human information
15 but animal cancers. So the interpretation we used for the
16 initial list, the primary list, is based upon the IARC and NTP
17 and the references contained in the Initiative to have the first
18 list contain only those that are, quote, "known", and the second,
19 the candidate list, is the list which the Panel is charged with
20 immediately reviewing, and the Panel's obligated to review it
21 within a year and will be making quarterly updates to move
22 chemicals off of the candidate onto the primary list as the Panel
23 reviews them.

24 CHAIRMAN TORRES: But the International Agency for
25 Research on Cancer, which is the one you quoted --

26 MR. WARRINER: Right, IARC.

27 CHAIRMAN TORRES: Right, says that:
28

1 "... where there is sufficient evidence
2 of carcinogenicity in animals, for
3 practical purposes it is reasonable to
4 treat such chemicals as if they presented
5 a carcinogenic risk in humans."

6 MR. WARRINER: Well --

7 CHAIRMAN TORRES: That's always in most of their
8 documents that I've ever read, so I don't understand what
9 language you used.

10 MR. WARRINER: Yeah, I'll read some more of the
11 references to that if I can find it.

12 When they described their Category 2 -- all the
13 Category 1 drugs are included chemicals. All of the Group 1,
14 known human carcinogens, are included in the initial list.

15 The question that you're asking is as to the second
16 list, saying does IARC make a distinction?

17 The IARC, when you read the entire monograph, where it
18 describes it, it describes the evidence as to the second list as
19 being at one end "almost sufficient", and at the other end
20 "limited and requiring more information." So they're not -- IARC
21 itself was not prepared to move the chemicals off of 2, which is
22 really divided into 2(a) and 2(b), onto Group 1 without more
23 information.

24 So we treated -- what we issued was basically our
25 version of IARC, in that there's a Group 1, the knowns, and Group
26 2, our candidate list of suspect ones. And we'll be moving from
27 the suspect onto the initial list as the Panel reviews the
28 chemicals over the next twelve months.

1 CHAIRMAN TORRES: Who was counsel who advised you
2 throughout this initial process?

3 MR. WARRINER: We received legal advise from the
4 Department of Health Services.

5 CHAIRMAN TORRES: I understand that. Who was the
6 counsel?

7 MR. WARRINER: Robert Tousignant. I can supply a copy
8 of the legal opinion.

9 CHAIRMAN TORRES: We would like to see a copy.

10 MR. WARRINER: Surely.

11 CHAIRMAN TORRES: Any further questions? Senator
12 Seymour, Senator Greene.

13 SENATOR SEYMOUR: As I listen to the discussion taking
14 place and asking the question of: Is the initial list
15 sufficient; does it comply with Proposition 65; was the intent
16 for lengthening that list?

17 I want to make sure that what I'm hearing is in the
18 Department's opinion accurate. What I am hearing is that it is
19 the Department's opinion that at this particular stage, the
20 Department and the Administration in publishing their list has
21 kept with the total intent of the law of Proposition 65.

22 Is that true or false?

23 MR. WARRINER: Yes, Senator.

24 SENATOR SEYMOUR: And further, that we can expect, as
25 the Scientific Panel progresses with its studies, that that list
26 will get longer, not shorter?

27

28

1 MR. WARRINER: I have every reason to believe that's
2 true.

3 SENATOR SEYMOUR: And thirdly, that the reason that the
4 Administration and the Department have adopted the posture that
5 they have is that perhaps they don't want to over react to a
6 longer list and then have to backwater or retract?

7 MR. WARRINER: Well, two concerns. Initially --

8 SENATOR SEYMOUR: Why not come out with 250 on the list,
9 and then as the studies develop and show that you were wrong, and
10 that maybe it should be 240 or 120 or 80, then you'd back off.
11 Why not?

12 MR. WARRINER: The difficulty with that interpretation
13 was -- would be that that would mean there would be chemicals on
14 that list which may not finally end up on the list over the next
15 twelve months by the Scientific Advisory Panel. There is no
16 assurance that the initial list will be identical with the
17 candidate list after the Scientific Advisory Panel has gone
18 through that.

19 When you look at IARC, NTP, EPA, and Health Services,
20 all those lists are slightly different, so there are chemicals,
21 particularly on the Group 2 chemicals, where there are
22 differences between the different groups that are evaluating
23 them. So it's reasonable to assume that the Scientific Advisory
24 Panel will make its own independent judgement, and that you could
25 not simply assume that the chemicals on the candidate list will
26 automatically be on it. They have to be reviewed by the Panel,
27 have to be reviewed by the Panel consistent with the scientific
28 policy that they'll have to adopt on the 31st.

1 SENATOR SEYMOUR: My last question, Mr. Chairman.

2 Are there any known carcinogens that have been left off
3 the initial list of those known and published by these agencies
4 and scientific bodies you've been describing?

5 MR. WARRINER: The Governor's initial list includes
6 every known human carcinogen identified by the World Health
7 Organization or the United State Public Health Service National
8 Toxics Program.

9 SENATOR SEYMOUR: Thank you.

10 CHAIRMAN TORRES: Senator Greene and then Senator
11 Rosenthal.

12 SENATOR GREENE: I have two questions. One is a
13 follow-up on the question that Senator Seymour was asking.

14 You say that there are some substances which are not on
15 all the lists. Are there any which are only, say, two of the
16 lists and not on the third?

17 MR. WARRINER: I can't answer that question.

18 SENATOR GREENE: Because I was going to say, if you had
19 that situation --

20 MR. WARRINER: Two out of three.

21 SENATOR GREENE: -- it seems that in terms of complying
22 with the law for the safety of human beings, that that would
23 maybe be grounds, even though they might not be on the third
24 list. You can't answer that.

25 You made reference in your testimony that you are
26 developing your budget change proposals.

27
28

1 Now, last year the Administration submitted their toxics
2 budget one week before the conference committee. The
3 subcommittees had finished their works, the full committees; the
4 Houses had acted on the budget as they viewed it.

5 Now the Chair of this Committee as a member of the
6 Fiscal Subcommittee here, when will you have that developed? In
7 fact, can we tie you down to make certain that you will have it
8 so that the subcommittee, which has more time to go into more
9 depth, which has staff which are more familiar with working with
10 these specific budgets than, say, some of the other total fiscal
11 committee staff persons, will you have that for us before the
12 subcommittee finish their work, which will be in May? And then
13 we would need to have it in our hands in time to look at it,
14 which would mean April.

15 MR. WARRINER: What day in April?

16 (Laughter.)

17 SENATOR GREENE: Well, I'm not giving a day. I'm asking
18 you. I'm giving you at least a frame of reference.

19 MR. WARRINER: All right. I'll do my best. I can't
20 promise more than that.

21 SENATOR GREENE: Why couldn't you give me a date? Why
22 couldn't you and your people just, if you have to work 20 hours a
23 day, 7 days a week -- and I'm not saying that to be funny -- it
24 seems to me that it should be that important to you.

25 MR. WARRINER: It is very important.

26 SENATOR GREENE: It certainly is that important to the
27 citizenry.

1 MR. WARRINER: It is very important to me. And it's
2 important to me that we get it to the Legislature soon enough so
3 that there can be meaningful debate and review over --

4 SENATOR GREENE: Because you're going to be criticized
5 if you don't, and it's going to make you look bad. You know,
6 it's going to make you look like you're dodging.

7 I'm not accusing or anything; I'm just stating a fact.
8 It's going to make you look bad; it's going to look like you're
9 dodging. And if there's criticism now, this is just going to be
10 another criticism.

11 MR. WARRINER: And I do not want to add to that.

12 SENATOR GREENE: Well, that would do that. You can see
13 that that would do that; right?

14 MR. WARRINER: Yes, sir.

15 SENATOR GREENE: And particularly with my mentioning it
16 now.

17 (Laughter.)

18 MR. WARRINER: Yes, sir. I have the very distinct
19 impression you have a strong feeling about this.

20 SENATOR GREENE: Well, it's just in terms of being able
21 to do our work. You know, I assure you, I'm not expert in this
22 area. But we can fumble our way through if we have enough time
23 to do it.

24 MR. WARRINER: I would only think it would be valuable
25 to us to get a full legislative review of the proposals because
26 this is a new area we're working on. This is not something where
27 you can draw on necessary history to tell you what the correct
28

1 answer is in terms of staffing, so I will do my best to have the
2 procedures to you as quickly as possible.

3 SENATOR GREENE: Thank you.

4 SENATOR ROSENTHAL: Just a follow-up.

5 There are a number of organizations that list what they
6 consider to be carcinogens: the World Health Organization --

7 MR. WARRINER: Yes, sir.

8 SENATOR ROSENTHAL: Whatever. And there are differences
9 between --

10 MR. WARRINER: Yes, Senator.

11 SENATOR ROSENTHAL: -- between them.

12 It seems to me that if I were looking at a list of all
13 of the organizations that made a list, and maybe there's a half a
14 dozen of them, and I just checked off the ones that were on all
15 six lists, that if in fact the Administration had given us a
16 list, let's say, of 60 or 70, we might not even be here today.

17 So my question is, why didn't we at least have what
18 everybody considered to be a carcinogen, not just on two lists,
19 and not on three, not on one list and not on three or four, or
20 whatever number of lists there are, but those items which were on
21 every single one of the lists? Why wasn't that just an automatic
22 kind of a thing which said: Hey, if we do that, at least we'll
23 not be suspect. Because I'm sure that the Administration
24 understood from what was being said before they came out with
25 their list of 29 that that sort of a list was not acceptable to
26 us and the general public generally.

27
28

1 Why didn't somebody give some thought to doing that type
2 of thing? Instead of 250 or whatever the number everybody thinks
3 there is, but the 75 that everybody says is?

4 MR. WARRINER: I think -- what we did was, we put
5 together the Scientific Advisory Panel; we put together the Panel
6 with a charge to go through the chemicals, the entire suspect
7 list, within twelve months. We've committed to a --

8 SENATOR ROSENTHAL: I'm not talking about within twelve
9 months. I'm saying that had you done something, just somebody
10 who is not a scientist, taken all the lists, and I'm not a
11 scientist, it would have been simple for me to say: Hey, what
12 does everybody consider to be a carcinogen.

13 MR. WARRINER: Right.

14 SENATOR ROSENTHAL: EDB, whatever. Was that left off of
15 anybody's list?

16 MR. WARRINER: I understand what the Senator's saying.

17 Our feeling was that it was best to have the Panel up
18 and operating, and have the Panel do that. We're going to do it
19 every three months, we're going to update that list until we've
20 gone through the entire candidate list. So, we may be further
21 along on this road fairly soon.

22 CHAIRMAN TORRES: It's 90-day updates?

23 MR. WARRINER: That's our plan, at least in the first
24 year. After the candidate list has been gone through, we're
25 obligating the Panel to keep meeting at least twice a year. But
26 the candidate list represents the initial commitment. After
27 that, IARC and NTP produce chemicals on a regular basis that
28 would have to be reviewed.

1 CHAIRMAN TORRES: All right.

2 Any further questions of Mr. Warriner?

3 Thank you very much, Mr. Warriner.

4 MR. WARRINER: My pleasure, sir.

5 CHAIRMAN TORRES: Would Mr. Steve Book come forward.
6 We'll take a five-minute break for our court reporter here.

7 (Thereupon a brief recess was taken.)

8 CHAIRMAN TORRES: We're going to reconvene the Joint
9 Committee. Our star assistant is back now and ready for action.

10 I'd like to welcome to the Committee Dr. Steve Book who
11 is Executive Secretary for the Scientific Advisory Panel on
12 Proposition 65, the Safe Drinking Water Initiative.

13 Welcome to the Committee, Doctor.

14 DR. BOOK: Thank you, Senator.

15 I really don't have any opening -- any comments.

16 CHAIRMAN TORRES: All right, I do.

17 I recently read in my hometown, small hometown newspaper
18 that you disagreed with the nature of the list which was finally
19 issued. You felt that there should have been other chemicals
20 included on that list.

21 I'd like to know which ones and why?

22 DR. BOOK: When I was with the Department of Health
23 Services, or I guess technically I still am with the Department
24 of Health Services, I expressed an opinion to the Deputy Director
25 that I thought -- that I thought was consistent with the
26 Departmental guidelines, the cancer guidelines that we discussed,
27 that those chemicals shown to have sufficient evidence of
28

1 carcinogenicity in animals should be included on the initial list
2 for Proposition 65, because as has been stated before, we do
3 routinely utilize animal data to protect people from exposure to
4 carcinogens.

5 CHAIRMAN TORRES: As Executive Secretary to this new
6 Scientific Panel, what are going to be your guidelines in
7 determining inclusion or exclusion of chemicals within the list?

8 DR. BOOK: My function as Executive Secretary to the
9 Panel is to really serve the Panel. The direction for the Panel
10 will be dictated its Chairman, Dr. Kilgore, and by the Panel
11 itself.

12 So, I'm primarily there to assist them in making their
13 decision.

14 CHAIRMAN TORRES: I understand that, Dr. Book, but you
15 and I both know that staff people, where ever they may exist or
16 be, have recommendations. They are not there as mutants merely
17 to serve the will of a particular committee or panel or
18 organization.

19 You have your thoughts, and I'm sure you're going to
20 prepare a briefing book; are you not?

21 DR. BOOK: Yes, Senator.

22 CHAIRMAN TORRES: And part of that briefing book, I'm
23 sure, will be to outline the various parameters of the issues
24 regarding Proposition 65. Isn't that the case?

25 DR. BOOK: Certainly.

26 CHAIRMAN TORRES: And one of those parameters is going
27 to be the standard by which this Panel will be required, or at
28

1 least begin, to look at which chemicals shall be placed on a
2 list. Won't that be a recommendation?

3 DR. BOOK: You mean the priorities by which they will
4 address chemicals?

5 CHAIRMAN TORRES: Yes.

6 DR. BOOK: Probably so.

7 CHAIRMAN TORRES: And I'm sure that your recommendation
8 will also include a review of those standards that have been used
9 by other organizations, the World Health Organization, President
10 Reagan's Science and Technology Committee, as well as our own
11 Department of Health Services, of which you are still a part, in
12 respect to what kinds of standards ought to be used in the
13 inclusion and exclusion of certain chemicals on the list.
14 Wouldn't that be correct?

15 DR. BOOK: Yes, Senator, and many of those materials
16 have been distributed to the Panel already.

17 CHAIRMAN TORRES: They've been distributed already;
18 haven't they?

19 DR. BOOK: Yes.

20 CHAIRMAN TORRES: And what have you distributed to the
21 Panel?

22 DR. BOOK: The Panel received, or last week was sent out
23 the Department of Health Services carcinogen guidelines.

24 CHAIRMAN TORRES: Those are the guidelines that I quoted
25 earlier?

26 DR. BOOK: Yes, the Blue Book, as it's called.

27 CHAIRMAN TORRES: And that's this book here; right?
28

1 DR. BOOK: Yes, sir.

2 CHAIRMAN TORRES: And in this book, the guidelines
3 state:

4 "... most substances that are carcino-
5 genic in one animal species are also
6 found to be carcinogenic in other animal
7 species when adequately tested."

8 Is that a correct statement?

9 DR. BOOK: Probably so, sir. I can't recall exactly.

10 CHAIRMAN TORRES: All right. And:

11 "Further, almost all substances that
12 are known to be carcinogenic in humans,
13 for which animal data exists, are also
14 carcinogenic in animals."

15 DR. BOOK: Yes.

16 CHAIRMAN TORRES: "Thus, there is substantial
17 scientific support for the assumption
18 that a substance carcinogenic in animals
19 will, with high probability, be carcino-
20 genic in humans."

21 DR. BOOK: Yes.

22 CHAIRMAN TORRES: And that's going to be part of your
23 recommendation?

24 DR. BOOK: It'll be part of the information that's
25 provided to the Panel. They will have the ultimate
26 recommendation, but that will be part of the background material
27 that is supplied to them.
28

1 CHAIRMAN TORRES: Given your familiarity with the
2 members of the Panel, what do you think they'll do?

3 DR. BOOK: I can't tell, Senator. I suspect that among
4 experimental biologists there is an appreciation for the
5 universality of mammalian systems, and that they will take that
6 into account. That is, if a substance is carcinogenic in several
7 animal species, then it is likely that it is carcinogenic in
8 other species. And I'm sure that they will take that into
9 account.

10 CHAIRMAN TORRES: How many chemicals are on the present
11 list now, the short list as it's known?

12 DR. BOOK: There's 26 carcinogens and 3 reproductive
13 toxins.

14 CHAIRMAN TORRES: That's 26 carcinogens and 3
15 reproductive toxins?

16 DR. BOOK: Yes.

17 CHAIRMAN TORRES: Do you anticipate that EDB may be one
18 of those reproductive toxins that will be placed on the list?

19 DR. BOOK: Perhaps not as a reproductive toxin, but as a
20 carcinogen.

21 CHAIRMAN TORRES: As a carcinogen.

22 What other chemicals did the Department of Health
23 Services recommend for inclusion within the first list which were
24 excluded?

25 DR. BOOK: My initial -- the memo that I wrote to the
26 Director's office included those chemicals designated by IARC and
27 NTP as having sufficient evidence of carcinogenicity in animal
28 species.

1 CHAIRMAN TORRES: And that's a standard which Mr.
2 Warriner indicated to us earlier, the World Health standard?

3 DR. BOOK: Yes.

4 CHAIRMAN TORRES: Is it possible to obtain a copy of
5 that memorandum?

6 MR. WARRINER: I think that's what you also asked Dr.
7 Kelter. He has a copy of it, I'm sure. But we'll be sure that
8 you get that as well.

9 CHAIRMAN TORRES: It would be very important to us to
10 determine just how the decision making process actually takes
11 place in the Department and the Agency. Help us in making a
12 better judgement call.

13 Any questions? Senator Greene.

14 SENATOR GREENE: I have one question out of curiosity.
15 Do you have any knowledge as to why your suggestions
16 were not followed through with? Were you given any information,
17 or did you inquire, or was any offered as to why your initial
18 recommendations were not followed through on?

19 DR. BOOK: Well, I think half of my recommendations
20 were. I was --

21 SENATOR GREENE: Well, I meant in their entirety.

22 DR. BOOK: With regard to the public information and the
23 public right to know about the chemicals that are carcinogenic in
24 animal species, I believe that was distributed with the initial
25 list.

26 I believe the reason my recommendations about the size
27 of the list were not followed was because that initial list was
28

1 determined on a legal criteria rather than on scientific
2 criteria.

3 SENATOR GREENE: What was the legal criteria?

4 DR. BOOK: Mr. Warriner can discuss that better than I
5 can. I'm not a lawyer.

6 SENATOR GREENE: Yeah, but it was related to you; was it
7 not?

8 DR. BOOK: I believe it had to do with some -- some
9 difficulties interpreting -- in interpreting the Proposition from
10 a legal perspective.

11 CHAIRMAN TORRES: Is your counsel here?

12 MR. WARRINER: Mr. Tousignant?

13 CHAIRMAN TORRES: Yes.

14 MR. WARRINER: Yes, he is.

15 CHAIRMAN TORRES: Would you please come forward.

16 SENATOR GREENE: I thought the citizens read it pretty
17 clearly. I don't know why it would require any additional
18 interpretation.

19 CHAIRMAN TORRES: Would you please identify yourself.

20 MR. TOUSIGNANT: Sure. My name is Bob Tousignant, and
21 I'm an Assistant Chief Counsel with the Department of Health
22 Services.

23 CHAIRMAN TORRES: Welcome to the Committee.

24 Senator Greene, would you like to ask your question
25 again.

26 SENATOR GREENE: What was the legal question that
27 surrounded the interpretation of the Initiative? Millions of
28

1 Californian seemed to interpret it pretty clearly. What was the
2 difficulty you had?

3 MR. TOUSIGNANT: I think, as Under-Secretary Warriner
4 identified earlier, I mean, the basic legal question relates to
5 whether or not the references to the Labor Code sections that are
6 included in the minimum list requirement of the Proposition are
7 clear on their face, or whether there is some latent ambiguity in
8 those references.

9 SENATOR GREENE: What does that mean? Explain that
10 clearly. What ambiguities do you think that there might be, and
11 what is it that is unclear?

12 I understand what you said, but you haven't been
13 specific. Would you please be specific.

14 MR. TOUSIGNANT: The Proposition refers to Labor Code
15 Section 6382(b)(1) and 6382(d).

16 SENATOR GREENE: All right.

17 MR. TOUSIGNANT: Those are two sections which relate to
18 a list of chemicals that is published by the Department of
19 Industrial Relations.

20 SENATOR GREENE: All right.

21 MR. TOUSIGNANT: The second of those --

22 SENATOR GREENE: Which relates to workers on job sites
23 and employers.

24 MR. TOUSIGNANT: That's right. The second of those
25 references includes -- again refers to federal regulations which
26 relate to occupational health and safety, and those regulations,
27 it's the federal Hazard Communications Standard, require
28

1 employers to identify risks of a wide variety of chemicals to
2 their employees, not only carcinogens and reproductive toxicants,
3 but also sensitizers, irritants, hepatotoxins. There's a wide
4 variety of chemical substances that are required to be identified
5 under the Hazard Communications Standard.

6 As to carcinogens, the Hazard Communications Standard
7 differentiates between known and potential carcinogens,
8 suggesting that known carcinogens and potential carcinogens risks
9 should be communicated to employees.

10 The question that comes up from the Proposition is, in
11 light of the wide variety of substances that are referred to,
12 which of the substances are required or were required by the
13 Governor to be included on the minimum list?

14 And we narrowed that list to include known substances in
15 light of the repeated references in the arguments that were
16 presented to the voters that it related to known and not
17 suspected carcinogens.

18 I hope that was clear.

19 SENATOR GREENE: Well, yes, your reply was clear.

20 Potential indicates that there's some evidence; is that
21 correct?

22 MR. TOUSIGNANT: That's correct.

23 SENATOR GREENE: And if you have some evidence, then in
24 light of the amount of evidence which is available, that is
25 known. I just means that you have not completed your studies, or
26 that there are still additional studies to be conducted. But
27 once something is potential, that's known, is it not, to a
28 degree?

1 MR. TOUSIGNANT: To a degree, but again, it was -- I
2 think it's the implementation process to ask the scientists to
3 review what is known about the carcinogens which are listed by
4 international organizations as potential carcinogens or suspect
5 carcinogens to identify which of those in their view are known to
6 the State to cause cancer.

7 SENATOR GREENE: On that point, what are these
8 scientists going to do to go out and prove one way or the other?
9 Are they going to do out and conduct some experiments on people
10 or what? Because they're going to draw on the body of knowledge,
11 the body of research, the --

12 CHAIRMAN TORRES: Just so they don't do it on my body.

13 SENATOR GREENE: Right.

14 (Laughter.)

15 SENATOR GREENE: So, they're going to review all the
16 data and all the research and what have you, and if no one has
17 conducted any additional research since the last research, what
18 are they going to be able to do which goes beyond what they can
19 do now?

20 MR. TOUSIGNANT: Presumably they'll decide based on the
21 data that exists. We don't expect them to do additional
22 research.

23 SENATOR GREENE: Yes, but that data exists now though,
24 sir, that's my point.

25 MR. TOUSIGNANT: That's correct.

26 SENATOR GREENE: So if they can do it later, why can't
27 they do it now?
28

1 MR. TOUSIGNANT: Well, they can do it now, but the Panel
2 was just named March the first, or February 27th.

3 SENATOR GREENE: But they don't come to the Panel
4 without any knowledge. They're supposed to be on the Panel
5 because they already have that knowledge. So they knew this when
6 they walked through the door. Before they even knew they were
7 going to be appointed they knew this.

8 MR. TOUSIGNANT: This Panel has not yet met, Senator.

9 SENATOR GREENE: They don't have to meet.

10 MR. TOUSIGNANT: Their first meeting is March 31st.

11 SENATOR GREENE: All right. I think I've made my point.

12 CHAIRMAN TORRES: Nowhere in the Initiative is there a
13 distinction between animal and human; is that correct?

14 MR. WARRINER: The Initiative does not mention animal,
15 though it does mention humans.

16 CHAIRMAN TORRES: Right, but every scientific guideline
17 that we've talked about had the parameters of that to be the case
18 nonetheless; isn't that correct?

19 MR. WARRINER: When you talk about the IARC or the NTP,
20 they divide it up into groups.

21 CHAIRMAN TORRES: Right.

22 MR. WARRINER: Group 1 is known, Group 2 is identified
23 as suspect. For instance, on the IARC Group 2, it includes
24 exposures for which at one extreme the evidence of human
25 carcinogenicity is almost sufficient as well as exposures for
26 which at the other extreme it is inadequate. IARC and NTP, which
27 are the groups which are specifically referred in the argument
28

1 included in the ballot proposition, further focus on that
2 distinction.

3 Again, the distinction is for purposes of the initial
4 list, not for -- the distinction is not for purposes of what the
5 Scientific Advisory Panel will do starting the 31st of this
6 month.

7 CHAIRMAN TORRES: We understand that, but it's still
8 very unclear as to why ambiguities which Counsel raised here had
9 an impact as they did on known carcinogens, like EDB, which we
10 know and is well-accepted in scientific circles to be a known
11 carcinogen, yet that carcinogen was not included within the list.

12 MR. WARRINER: The Proposition --

13 CHAIRMAN TORRES: And the rationale is, the reason it
14 was not is because there was some legal problem. Now we hear the
15 legal ambiguities, and those legal ambiguities really do not seem
16 to have relevance to the issue of known carcinogens.

17 MR. WARRINER: They do.

18 The Proposition arguments say "known" not "suspected".
19 That's the terms that are used at least two times in the ballot
20 arguments.

21 CHAIRMAN TORRES: Are you suggesting to this Committee
22 that EDB is not a known carcinogen, it's merely suspected?

23 MR. WARRINER: The question is not what the Scientific
24 Advisory Panel. The question is what the Governor was required
25 to do under the Proposition for the initial list.

26 CHAIRMAN TORRES: I understand that, Mr. Warriner, and
27 that required some mens re, some understanding of what was going
28 on.

1 In that instance, it seems to me that that EDB, a
2 carcinogen, was known to be a carcinogen. I don't want to
3 belabor the point, I'm just trying to figure out what Counsel's
4 thinking was in interpreting Proposition 65.

5 MR. WARRINER: Again, you focus on the fact that we're
6 talking about humans, we're talking about "known", we're not
7 talking about "suspected". And when you pull the IARC and NTP
8 list out and physically look at it --

9 CHAIRMAN TORRES: It says to me, when I pull out that
10 language, it says quite clearly to me that animals have a direct
11 propensity to occur in the same way in human beings and other
12 mammalian aspects.

13 MR. WARRINER: Come back to the term "known". The term
14 "known" was used in the ballot arguments; not "suspected" but
15 "known".

16 It didn't say "animal"; it only mentioned humans. It
17 talks about "known", not "suspected".

18 So what we did for purposes of the first list only was
19 identify all the, quote, "known" human carcinogens.

20 CHAIRMAN TORRES: But there was not distinction in the
21 Initiative between and human.

22 MR. WARRINER: There was no mention of animals.

23 CHAIRMAN TORRES: That's my point.

24 MR. WARRINER: In fact, there was no argument anywhere
25 that I was aware of that indicated that we were concerned with
26 animal carcinogens. The focus was on "known" to humans.

27
28

1 CHAIRMAN TORRES: Good heavens! It would just assume
2 common sense that that would have been the case because of the
3 evidence that we have on most of the carcinogens.

4 Excuse me if I'm incorrect, but most of the evidence we
5 have on most of the carcinogens that are well, quote, "known" to
6 the scientific community to be mutagens, to be reproductive
7 toxins, to be carcinogens, are based upon animal tests; are they
8 not?

9 DR. BOOK: That's correct.

10 CHAIRMAN TORRES: And as a result of those animal tests,
11 we have certain restrictions on their usage, if not restricted
12 period. Isn't that correct, based upon those animal tests?

13 So I don't know who -- I'm trying to figure out who is
14 talking to whom in this whole experience. It just boggles my
15 mind as to trying to figure out how could an initiative even be
16 more closely or better written in the future, because that's the
17 other thing in the back on my mind as we begin initiatives and
18 the process.

19 How do we write them more carefully so we don't have
20 this burro-cratic interaction which results in confusion?

21 SENATOR GREENE: Mr. Chairman, on your point, which goes
22 to the same thing.

23 The voters do not vote on the argument. They vote on
24 the Initiative. You keep referring to the argument.

25 The argument is not a part of the question. The
26 question is on the Initiative.
27
28

1 And that gets right back to the point that the Chair is
2 making. The voters did not vote on the argument. You keep
3 referring to the argument, what the argument said.

4 Well, the argument is to explain the issue to the
5 voters. The argument is not what citizens vote on. They vote on
6 the Initiative.

7 So in terms of what you implement, you don't implement
8 the argument. You implement the Initiative.

9 MR. WARRINER: But for purposes of construing the
10 Initiative --

11 CHAIRMAN TORRES: Understood, Tom Warriner. I
12 understand exactly what your answer's going to be, and all I can
13 say is, you should have talked to our Attorney General who
14 obviously does not agree with your Counsel's interpretation
15 because thereafter he refused to defend the Governor on any
16 lawsuits based upon the short list because he didn't find any
17 ambiguity in the Initiative.

18 MR. WARRINER: You probably should ask him about that.

19 CHAIRMAN TORRES: We have.

20 MR. WARRINER: I can't speak for him.

21 SENATOR GREENE: I'm sure you had to search long and
22 wide to get a reason, because pointing to the argument is --

23 CHAIRMAN TORRES: No, I'm just trying to figure out how
24 decisions are made.

25 So, what happens now? Let's say there's another
26 initiative on the ballot that effects your department.

27

28

1 You will not communicate with the Attorney General as to
2 his interpretation or her interpretation at some future date on
3 the initiative? You will rely upon your own in-house counsel for
4 that interpretation?

5 MR. WARRINER: We did in this case, yes. I can't speak
6 for what future initiatives might bring to us.

7 CHAIRMAN TORRES: I understand that, and I'm not asking
8 you to speculate. I'm just asking, given this particular
9 instance, you relied on in-house counsel and no communication was
10 made to the Attorney General for an Attorney General's opinion?

11 MR. WARRINER: We did not request an opinion on this
12 subject.

13 CHAIRMAN TORRES: Pardon me?

14 MR. WARRINER: We did not request an opinion on this
15 subject.

16 CHAIRMAN TORRES: On a subject of this magnitude, no
17 request was made for an Attorney General's opinion to make sure
18 that you covered --

19 MR. WARRINER: Well, there are undoubtedly lots of areas
20 in which Attorney General opinions are not requested. I spent a
21 lot of time in the Attorney General's office. We got a lot of
22 opinion requests, but not every possible subject is explored by
23 way of an opinion.

24 CHAIRMAN TORRES: I understand that, Tom, but this is
25 not an Initiative to deal with, you know, signposts. It's an
26 initiative which was probably one of the most controversial
27 initiatives on the ballot in 1986. It was not an initiative that
28

1 no one knew about. It was not an initiative that most people
2 didn't understand. They had very polarized opinion one way or
3 the other.

4 And I'm just trying to figure out what goes on in the
5 thinking processes in the Department in making sure to cover
6 themselves.

7 I would not, for example, rely solely on Legislative
8 Counsel to guide the actions of this Committee if it involved a
9 substantially controversial issue. Nor would I think any other
10 Member of this Legislature would. They would request the
11 Attorney General for an opinion to make sure that we had it
12 covered.

13 I mean, goodness gracious! I even requested an Attorney
14 General's opinion for a baby shower gift to make sure that I was
15 covered under the FPPC, and I did not rely on the FPPC or
16 Legislative Counsel, and I certainly wouldn't put that issue as
17 important as the issue of interpreting an initiative which
18 garnered statewide and national attention.

19 Assemblyman Hayden.

20 ASSEMBLYMAN HAYDEN: Thank you, Mr. Chairman.

21 I wanted to go back to ask Dr. Book some questions.
22 Perhaps I misunderstood your testimony.

23 When you recommended the IARC list as the scientifically
24 appropriate list, were you doing that for the Department of
25 Health Services or as an individual?

26 DR. BOOK: No, I was doing that as an Acting Chief of
27 the Office of Environmental Health Hazard Assessment in a
28

1 memorandum to the Deputy Director of the California Department of
2 Health Services.

3 ASSEMBLYMAN HAYDEN: Did it have any further authority
4 than that?

5 DR. BOOK: Not that I know of.

6 ASSEMBLYMAN HAYDEN: Was that passed on to either Mr.
7 Warriner or to the Scientific Advisory Panel?

8 DR. BOOK: It wasn't passed on to the Scientific
9 Advisory Panel. It was passed on to Mr. Warriner and to the
10 members of the interagency steering group.

11 ASSEMBLYMAN HAYDEN: Did you get a reply to that from
12 any member of the interagency working group?

13 DR. BOOK: No, not officially. I mean, some people said
14 that --

15 ASSEMBLYMAN HAYDEN: Did you have conversations with --
16 there were people who said: Nice memo?

17 DR. BOOK: Yes.

18 ASSEMBLYMAN HAYDEN: Who for instance from the
19 interagency groups said that it was a good memo?

20 DR. BOOK: I think some staff of the Water Board, for
21 example.

22 ASSEMBLYMAN HAYDEN: Did you get anything from Food and
23 Ag?

24 DR. BOOK: No.

25 ASSEMBLYMAN HAYDEN: Anything from any of the other
26 agencies?

27 DR. BOOK: No.
28

1 ASSEMBLYMAN HAYDEN: Anything back from Mr. Warriner?

2 DR. BOOK: No.

3 ASSEMBLYMAN HAYDEN: Verbal?

4 DR. BOOK: I think he referred to it as my "ethical"
5 memo.

6 ASSEMBLYMAN HAYDEN: He referred to it as your "ethical"
7 memo. Did that imply that -- what did that imply, at least as
8 you heard the term?

9 DR. BOOK: I think because I thought that we were
10 justified in -- at least it was my position as the author of that
11 memorandum that I felt that we were justified to -- we were
12 justified to include the animal data on the list for Proposition
13 65.

14 ASSEMBLYMAN HAYDEN: Did you know that in Proposition 65
15 in the codes that are referenced, that specific reference is made
16 to animal or human, and that you were not simply on scientific
17 grounds on some good grounds, but there is legally referenced, a
18 reference to animal as well as human?

19 DR. BOOK: In one of the codes?

20 ASSEMBLYMAN HAYDEN: Yes, In the codes referenced by
21 Prop. 65.

22 DR. BOOK: Yes.

23 ASSEMBLYMAN HAYDEN: You knew that at the time?

24 DR. BOOK: I don't recall exactly the citation. Oh, I
25 don't know if I knew it at the time.

26 ASSEMBLYMAN HAYDEN: Would you say by calling it an
27 "ethical" memo, well let me ask Mr. Warriner.
28

1 Did you call Dr. Book's memo the "ethical" memo?

2 MR. WARRINER: He has a phrase in there where he
3 suggested it's important to give public notice, public
4 information, and he felt that it was an ethical duty to let the
5 public know of the concerns that we had.

6 That's one of the reasons why we chose the two-list
7 approach so the public would have full knowledge of all the
8 chemicals we were concerned with.

9 ASSEMBLYMAN HAYDEN: So you called it an "ethical" memo
10 because --

11 MR. WARRINER: He used the term. He felt there was an
12 ethical obligation to advise the public.

13 ASSEMBLYMAN HAYDEN: I thought that Dr. Book just said
14 that you called it the "ethical" memo.

15 MR. WARRINER: I did, too.

16 ASSEMBLYMAN HAYDEN: And you called it the "ethical"
17 memo because he was recommending that the process be open to the
18 public?

19 MR. WARRINER: No, no, no, no.

20 What he said was that the public should be made aware of
21 all the chemicals that we were concerned about. That was the
22 reason for the primary and secondary, or candidate, list, was to
23 let the public know all the chemicals that the Panel's focused
24 on.

25 ASSEMBLYMAN HAYDEN: So in calling it an "ethical" memo,
26 you didn't imply that it was ethical as opposed to your
27 conclusions and recommendations?
28

1 MR. WARRINER: No, I wouldn't put it that way, sir.

2 ASSEMBLYMAN HAYDEN: It wasn't the right thing to do as
3 opposed to what you were about to do?

4 MR. WARRINER: No, I wouldn't put it that way either.

5 (Laughter.)

6 ASSEMBLYMAN HAYDEN: I have no other questions.

7 CHAIRMAN TORRES: At any time did you or anyone
8 associated with the recommendations, you Counsel, or you Mr.
9 Warriner, request an informal or oral opinion from the Attorney
10 General's office, or a telephone conversation with one of your
11 friends in the AG's office regarding the interpretation of this
12 Initiative?

13 MR. WARRINER: We did not request an opinion either
14 informal or formal.

15 CHAIRMAN TORRES: You never picked up the phone and
16 said, "Hey, Harry, or whoever you may be out there, what do you
17 think about this issue? We're trying to compare notes."

18 MR. WARRINER: No, I did not ask for an opinion.

19 CHAIRMAN TORRES: Counsel, did you at any time?

20 MR. TOUSIGNANT: No, I didn't.

21 CHAIRMAN TORRES: You felt you were fully competent to
22 analyze this Initiative?

23 MR. TOUSIGNANT: There were a variety of arguments
24 presented from a variety of sources, and we saw those. And we
25 prepared our own analysis, yes.

26 CHAIRMAN TORRES: At no time did you compare notes of
27 your analysis or at any time have any telephone conversations
28

1 with any member of the Attorney General's staff regarding this
2 issue?

3 MR. TOUSIGNANT: We discussed with the Attorney
4 General's office matters of representation, of course, but --

5 CHAIRMAN TORRES: Matters of representation. This was
6 after the list was issued or prior to its issuance?

7 MR. WARRINER: Might have been the day before.

8 CHAIRMAN TORRES: Might have been the day before when
9 you told him that you were going to come out with a shorter list
10 than you had anticipated or than others had anticipated?

11 MR. WARRINER: Well, actually, there were other people
12 who argued the list should have been four chemicals, or no
13 chemicals, and there were other people arguing we ought to have
14 267 chemicals. But when it became clear that we were going to
15 issue a list that was going to get us into court, either the long
16 or the short, depending on how you want to look at it, approach,
17 we contacted the Attorney General's office and discussed that.

18 CHAIRMAN TORRES: This is speaking procedurally, are
19 there times when you, Counsel, would deal with the Attorney
20 General's office to compare notes on issues that come before you?

21 MR. TOUSIGNANT: Generally not unless we anticipate
22 litigation, imminent litigation against the Agency or by the
23 Agency.

24 CHAIRMAN TORRES: All right.

25 The reference, for your own information, the reference
26 that Mr. Hayden was referring to which cites the Labor Code
27 Section (b)(1) specifically cites the human or animal carcinogen,
28

1 which might have given some light to your analysis in terms of
2 dealing with the list, especially as it related to those other
3 areas that we discussed before.

4 Any other questions? Senator Greene.

5 SENATOR GREENE: On that point, I thought that Mr.
6 Warriner and the Counsel stated that there was no reference to
7 animals. Now it comes out that in the various sections of code,
8 or in one or two sections of code, animals are mentioned.

9 MR. WARRINER: There is no --

10 SENATOR GREENE: Now, those sections of code are
11 specifically referenced in the Initiative. And you just
12 testified earlier that nowhere was there any reference to
13 animals.

14 So now, which is it? It can't be both, sir.

15 MR. WARRINER: There is no mention of animals in the
16 wording of the Initiative.

17 SENATOR GREENE: Yes, but it references a specific code
18 which has animals. So, you know, it's understood, if it's
19 referencing specific sections of code, you should, it seems to
20 me, be reading those sections of code rather than be reading the
21 argument.

22 Now, how do you square that, sir? I mean, I really
23 don't understand it.

24 MR. WARRINER: For purposes of calculating what is the
25 intent of the voters, what the voters had with them at the time
26 they voted was the Initiative itself and the ballot arguments
27 that were provided to the homes of every registered voter in
28

1 California. So the documents they had in front of them was the
2 Initiative and the --

3 SENATOR GREENE: But legally, legally, if specific
4 sections of code are included within the Initiative, that is
5 included. Legally. You don't have to be a lawyer to know that.
6 All you have to know is the structure of law.

7 MR. WARRINER: The question was, did it mention animals
8 or humans, and it only refers to humans in the Initiative itself.

9 SENATOR GREENE: But the code mentions animals, sir.

10 MR. WARRINER: Right, the code also mentions the other
11 references, and it's those references themselves that create the
12 ambiguity.

13 SENATOR GREENE: I'll bet you in court your argument
14 won't stand up.

15 CHAIRMAN TORRES: I guess we understand what voters have
16 in their possession on election day, and sometimes we don't feel
17 that's enough either, or maybe in some cases it may be too much.

18 However, you and I have a higher duty and a higher
19 responsibility. And that higher duty requires us, mandates us by
20 law and by moral obligation, to make sure that we examine an
21 initiative in all of its aspects. And if a code section is
22 referenced, then that reference ought to be incorporated within
23 standards that we pursue.

24 And I think you know that, Mr. Warriner.

25 MR. WARRINER: It is.

26 CHAIRMAN TORRES: Well, it wasn't in this instance.

27 Mr. Hayden.
28

1 ASSEMBLYMAN HAYDEN: I should point out further that if
2 he shifts the argument, Mr. Chairman, to the ballot argument as
3 opposed to what the Initiative says, the ballot argument says
4 that the IARC list and the NTP list will be included. So it's
5 fairly clear what lists the proponents were speaking about.

6 I think that this is --

7 MR. WARRINER: The argument is, of course, over what
8 part of the IARC and NTP lists are included.

9 ASSEMBLYMAN HAYDEN: I wanted to ask you a question, Mr.
10 Chairman.

11 You asked earlier what now becomes of these Department
12 of Health Service guidelines?

13 CHAIRMAN TORRES: Yes, we have.

14 ASSEMBLYMAN HAYDEN: They seem to be at odds now with
15 the new State policy.

16 CHAIRMAN TORRES: Well, it's my understanding those
17 guidelines will be recommended to the Scientific Panel to
18 incorporate as part of their review process.

19 Isn't that correct?

20 DR. BOOK: I believe that's what Dr. Kelter indicated,
21 and that's certainly our intent, to make this information
22 available to the Science Advisory Panel.

23 MR. WARRINER: Also, in addition to the Health Services
24 one, there's also the EPA, which is a similar document, the IARC
25 document itself, and the NTP document. So all of those present
26 policies that the Panel can choose.

27
28

1 ASSEMBLYMAN HAYDEN: Does that mean that you're
2 recommending that these guidelines, these DHS guidelines, which
3 are roughly equivalent to the IARC and NTP lists, be adopted as
4 part of the minimal list by the Scientific Review Panel?

5 MR. WARRINER: You're asking two questions. First of
6 all, the Panel has to select a procedure.

7 ASSEMBLYMAN HAYDEN: All right.

8 MR. WARRINER: It could select Health Services; it could
9 select IARC itself which is very close; it could select the EPA
10 which is slightly different, or the NTP program.

11 After they've selected the policy, then they have to
12 decide whether all, some, what part of the chemicals that were
13 reviewed by those procedures by the body that initiated the
14 procedures should be included on the Panel's list of chemicals.
15 It's a two-fold process.

16 ASSEMBLYMAN HAYDEN: Maybe I'm missing something, but if
17 they're not going to do original research, this Panel --

18 MR. WARRINER: Correct.

19 ASSEMBLYMAN HAYDEN: If they adopt one of these long
20 lists in the 250 range as valid policy, then what more do they
21 have to do with respect to this debate over a short list versus
22 long list?

23 MR. WARRINER: What they do is adopt a policy which says
24 how they're going to view carcinogens. And then they look at
25 what the group that originated that policy found to be the
26 applicability of that policy to chemicals. And then they decide
27 whether the policy was correctly applied or not, and that helps
28 them decide which of those chemicals go on the primary list.

1 ASSEMBLYMAN HAYDEN: It sounds like they could come up
2 with a list shorter than the best known federal authorities have
3 come up with, a list shorter than the International Association
4 for Research on Cancer, and a list shorter than the DHS's
5 guidelines, not by doing new research, but by just arguing that
6 all these established bodies are wrong.

7 How will they do that? Through a conversation? It
8 would be an open hearing, I assume?

9 MR. WARRINER: Oh, yes.

10 ASSEMBLYMAN HAYDEN: With no new research, how are they
11 going to argue that these rock-bottom lists are wrong?

12 MR. WARRINER: I don't know what the Panel's going to
13 do, but I would expect them to adopt one of the known procedures
14 as their basis for action.

15 ASSEMBLYMAN HAYDEN: Well then, if it shifts from your
16 legal argument that the Initiative is flawed back to the
17 scientific argument, do you have any disagreements with Dr. Book
18 about --

19 MR. WARRINER: Again, we're not shifting. The initial
20 list was a legal exercise by the Governor's Office in selecting.

21 ASSEMBLYMAN HAYDEN: Right.

22 MR. WARRINER: The second and subsequent additions to
23 that list are by the Science Advisory Panel based upon the
24 requirements of the Initiative itself.

25 ASSEMBLYMAN HAYDEN: But you'll have no recommendation?

26 MR. WARRINER: As to chemicals?

27 ASSEMBLYMAN HAYDEN: Right.
28

1 MR. WARRINER: No. That's up to them.

2 The Governor was quite clear that the Governor's Office
3 is no longer involved. The Panel itself reviews the chemicals
4 and makes a decision about moving them on to the list.

5 ASSEMBLYMAN HAYDEN: Are you calling the scientists to
6 testify who are the authors of the aforementioned policies and
7 protocols, IARC, NTP?

8 MR. WARRINER: I think what Dr. Book has done is
9 provided copies of each of those to the Panel with the
10 anticipation that they would, before the meeting, read them and
11 become familiar with them, and then discuss among themselves
12 which ones they think should be the policy under which this Panel
13 operates.

14 The IARC arrangement's pretty much the same as the
15 Health Services' guidelines in terms of the list.

16 CHAIRMAN TORRES: Thank you very much, gentlemen.

17 MR. WARRINER: Thank you.

18 CHAIRMAN TORRES: Sarah Reusswig who is the Program
19 Analyst for the Legislative Budget Committee.

20 Welcome to the Committee.

21 MS. REUSSWIG: Thank you very much, Senator.

22 My name's Sarah Reusswig with the Legislative Analyst's
23 Office. To my right is Carol Bingham, Principal Program Analyst
24 for the Health Section.

25 Our statement's going to be very brief because
26 basically, given the state of the Governor's budget and the fact
27 that we haven't received any further BCPs, there's really not
28 much to tell you at this point.

1 Our analysis of the Governor's proposal or of
2 Proposition 65 included three sections. First of all, we
3 evaluated what the Proposition requires the State to do. You've
4 already talked about that: lead agency, setting up a list,
5 revising that list annually, and reporting illegal discharges by
6 certain employees.

7 And then we evaluated what the State could do. Going
8 beyond that, we made some assumptions about, or looked at what
9 the State has done in the past in other areas of environmental
10 health concern, and came up with some conclusions about what the
11 State ought to do at the very least.

12 The Scientific Advisory Panel seems to be going in the
13 direction of addressing those concerns. What they ought to do at
14 the very least is provide some statewide kind of guidelines as to
15 what ought to be included, what shouldn't be included, so that
16 the courts, as they implement the Proposition, if that is in fact
17 where it's going to be left, will have some sort of statewide
18 consistency.

19 Until we get some sort of budget proposal, however, we
20 have no basis on which to tell you how much this is going to cost
21 simply because there is so much discretion left up to the
22 Administration.

23 We'd be happy to answer any questions you have.

24 CHAIRMAN TORRES: Any questions?

25 SENATOR GREENE: I have a question.

26 Well, it might not be fair to ask this of analysts, but
27 Mr. Chair, let me point this out to you, and it's something I'm
28 in the middle of, of course, with OSHA.

1 I wonder if there's any connection in the Governor's
2 desire to phase out Cal OSHA and the action that they've taken in
3 the compliance with this?

4 I mean, it might be reaching, but considering some of
5 the other things going on, it might not be farfetched, because if
6 you look at statements to comply an annual list, where it says
7 chemicals that are regulated are carcinogens by the State
8 Occupational Safety and Health Act, you do away with that, then
9 you're left with federal. And federal's coverage in this area is
10 minimal compared to the State's coverage in this area, even to
11 notification of hazardous substance on the job, just advising
12 workers that they're working with those kinds of substances.

13 So it might not be too farfetched to think that there's
14 an interconnection in this separate and apart from any separate
15 desire relating to OSHA.

16 I just throw that out because it seems like a strange
17 coincidence.

18 CHAIRMAN TORRES: Good point.

19 Any other questions?

20 All right, this hearing is adjourned. Thank you very
21 much.

22 (Thereupon this Joint Hearing on the
23 Implementation of Proposition 65 was
24 adjourned at approximately 12:15 P.M.)

25 --oo0oo--
26
27
28

1 CERTIFICATE OF SHORTHAND REPORTER

2

3 I, EVELYN MIZAK, a Shorthand Reporter of the State of

4 California, do hereby certify:

5 That I am a disinterested person herein; that the

6 foregoing Joint Hearing of the Senate Committee on Toxics and

7 Public Safety Management and the Senate Budget and Fiscal Review

8 Subcommittee #3 regarding the Proposition 65 Implementation was

9 reported in shorthand by me, Evelyn Mizak, and thereafter

10 transcribed into typewriting.

11 I further certify that I am not of counsel or attorney

12 for any of the parties to said hearing, nor in any way interested

13 in the outcome of said hearing.

14 18th IN WITNESS WHEREOF, I have hereunto set my hand this

15 day of March, 1987.

16

17

18 

19 EVELYN MIZAK
Shorthand Reporter

20

21

22

23

24

25

26

27

28

Handwritten text, possibly a signature or name, located in the upper left quadrant of the page.

A vertical line of small, repetitive marks or characters running down the right edge of the page.